



Uploaded to VFC Website

▶▶ **November 2012** ◀◀

This Document has been provided to you courtesy of Veterans-For-Change!

Feel free to pass to any veteran who might be able to use this information!

For thousands more files like this and hundreds of links to useful information, and hundreds of "Frequently Asked Questions, please go to:

[Veterans-For-Change](#)

*Veterans-For-Change is a 501(c)(3) Non-Profit Corporation
Tax ID #27-3820181*

If Veteran's don't help Veteran's, who will?

We appreciate all donations to continue to provide information and services to Veterans and their families.

https://www.paypal.com/cgi-bin/webscr?cmd=_s-xclick&hosted_button_id=WGT2M5UTB9A78

Note:

VFC is not liable for source information in this document, it is merely provided as a courtesy to our members.



Item ID Number 05470

Not Scanned

Author

Corporate Author

Report/Article Title In the Circuit Court, Twentieth Judicial Circuit of Illinois, St. Clair County, Frances M. Kemner, et al., Plaintiffs, vs. Monsanto Company and Norfolk and Western Railway Company, Defendants, No. 80-L-970, Before the Honorable Richard P. Goldenhersh, Judge, Report of Proceedings, April 11, 1984, Jury Trial

Journal/Book Title

Year 1984

Month/Day April 11

Color

Number of Images 132

Description Notes Includes testimony from Ellen Silbergeld and references to Binghamton State Office Building

(V)

IN THE CIRCUIT COURT
TWENTIETH JUDICIAL CIRCUIT OF ILLINOIS
ST. CLAIR COUNTY

FRANCES M. KEMNER, ET AL,

Plaintiffs,

VS.

No. 80-L-970

MONSANTO COMPANY, and
NORFOLK AND WESTERN RAILWAY
COMPANY,

Defendants.

Before the HON. RICHARD P. GOLDENHERSH, Judge

REPORT OF PROCEEDINGS

April 11, 1984

JURY TRIAL

APPEARANCES:

Mr. Rex Carr and Mr. Jerry Seigfreid
On Behalf of the Plaintiffs;

Mr. J. Bill Newbold, Mr. Kenneth R. Heineman and Miss Jane
Rudolph
On Behalf of the Defendant, Monsanto Company;

Mr. Albert Schoenbeck and Mr. Stephen M. Schoenbeck
On Behalf of the Defendant, Norfolk and Western Railroad.

Kimberly Ganz, C.S.R., RPR, CM
Official Court Reporter

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

In Chambers Conference - 2/79

Continuation of the reading of Dr. Ellefson's deposition - 6

ELLEN SILBERGELD

Direct Examination by Mr. Carr - 53

1 BE IT REMEMBERED, that on Wednesday, April 11, 1984,
2 the same being one of the regular judicial days of said court,
3 the above-entitled cause came on regularly for hearing before
4 the HONORABLE RICHARD P. GOLDENHERSH, one of the Judges of said
5 court, at the St. Clair County Building, 10 Public Square, in
6 the City of Belleville, St. Clair County, Illinois. Whereupon
7 the following proceedings were had:

8 (The following proceedings were had in chambers out
9 of the presence and hearing of the jury.)

10 MR. NEWBOLD: Your Honor, you don't have a copy of
11 the deposition so, with permission, I will show you this and
12 also make any record standing up if that is acceptable.

13 THE COURT: Of course.

14 MR. NEWBOLD: Yesterday we went through all the
15 various exhibits that both Mr. Carr and I intended to use. One
16 of the exhibits that was admitted into evidence was--one of
17 the exhibits was Dr. Ellefson's Exhibit DD now known as
18 Plaintiff's Exhibit 226. It is my contention that Mr. Carr
19 agreed with the witness that the third column should be headed
20 possible cause if present rather than simply cause if present
21 for the basis of my contention I would direct the Court's
22 attention to page 63 line 25 which is the beginning of his
23 foundation for the exhibit. "Question: Now, Doctor, I have another
24 exhibit and probably have to put possible on that as well. Would

1 you mark that please..." and then the exhibit was marked as
2 Ellefson's Deposition Exhibit DD now Plaintiff's 226 and Mr.
3 Carr went from that point on to lay the foundation for this
4 exhibit.

5 THE COURT: That is this one?

6 MR. NEWBOLD: Yes, sir.

7 MR. CARR: This is as it was handed to the witness,
8 Judge.

9 THE COURT: Okay. So Gary Robinson was added.

10 MR. CARR: Judge, the Exhibit DD was--when I made
11 the comment, "Now, Doctor, I have another exhibit and probably
12 have to put possible on that one as well," I was directing the
13 witness' attention to it. I had it in my hand at that time.
14 I handed it to the reporter who marked it DD. Then it went to
15 the doctor in the form as is except the words and/or genetic
16 mutation was on the exhibit. I asked him to examine it and
17 see that it had been changed and the generic mutation was
18 stricken. As a matter of fact, he did it himself. He said--I
19 asked do you want that genetic mutation out of this one, too,
20 Doctor, and he says right. Do you want me to strike it from the
21 sheet? Yes. Go ahead. He struck it from the sheet and it
22 was in this form with the Gary Robinson.

23 THE COURT: Here is 226.

24 MR. CARR: Here it is. Yes, this is the original.

1 This is the one with one of the Robinson's in it or Walter
2 Kidwell and subsequently Gary Robinson was added. This was the
3 form it was in at the time. This is the original because it
4 has got Kidwell in it and we took Kidwell out, but, anyway,
5 this is the form it was in. The cause if present were there
6 at the time. He approved it in that form. It's got possible
7 condition over on the second column. It's got cause if present.
8 The words "possible cause" doesn't really add anything to it.
9 The witness approved it in this form and today, three months
10 after the exhibit has been approved by the witness and after
11 we have gone through it in chambers, counsel is now wanting me
12 to withdraw this from the jury. The jury has all seen it and
13 without the word "possible" above the word "cause". There is
14 nothing in the record to indicate that it should be and I would
15 certainly object to changing the exhibit that had been approved
16 by everyone at this point.

17 THE COURT: It is your motion, do you have any reply?

18 MR. NEWBOLD: My reply--I think it is clear, Judge,
19 when Mr. Carr says on page 63 line 25, "Now, Doctor, I have
20 another exhibit and probably have to put possible on that as
21 well." Mr. Carr is looking at the exhibit and knows that
22 possible is already there for possible condition. He can only
23 be referring to possible.

24 MR. CARR: I was referring to it. I am not quarreling

1 with that.

2 MR. NEWBOLD: To possible cause?

3 MR. CARR: There is no dispute of that but the
4 witness never agreed with it. He never said yes do that.
5 This is the form that you saw. This is the form that the witness
6 saw. This is the form that we have had here for several days
7 and today, Johnny come lately, for the first time you are
8 saying that this exhibit as we have approved all of them up to
9 this time, you are taking me up on a comment that I made
10 probably have to put possible on that one as well that nobody
11 agreed to, nobody said yes, we ought to put possible on that
12 one as well.

13 MR. NEWBOLD: I think that Dr. Ellefson just assumed
14 that possible would be inserted in that one.

15 THE COURT: I disagree. This witness showed absolutely
16 no reticence in making any changes or any comments, for that
17 matter, that he felt appropriate and I don't think he was in the
18 habit of taking anything for granted, having gone through these
19 five volumes of depositions with all of you. He didn't put
20 it in. He approved it as amended. It went properly to the
21 jury as amended. I disagree. I don't think he left that
22 comment hanging in the air and there is no reason to change an
23 exhibit which he approved while looking at it after amending
24 it, so I am denying your motion.

1 (The following proceedings were had in the presence
2 and hearing of the jury.)

3 THE COURT: Good morning.

4 MR. CARR: We start with another exciting day. This
5 is the last deposition that will have to be read and all the
6 other witnesses the plaintiff will have from now on will be
7 live. Not necessarily exciting, though.

8 Page 80, line 15.

9 (At this time Mr. Carr continues the reading of the
10 evidence deposition of Dr. Ralph Ellefson.)

11 Q. Have you written in the field of disorders of
12 porphyrin and heme metabolism?

13 A. A little bit. Yes, I have.

14 Q. Have you been published in a book that is considered
15 authoritative?

16 A. I have been published in a journal that is considered
17 authoritative, yes.

18 Q. And did you publish in that journal what you thought
19 to be the normal urinary ratios, porphyrin ratios?

20 A. I did not publish ratios.

21 Q. Doctor, referring to the Doss data, did you not
22 testify, Mr. Newbold asked you, that your impression was that
23 Doss' data and yours were not really in disagreement?

24 A. Doss or Strik?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Q. Doss.

A. That's correct.

Q. Doctor, doesn't Doss on page 14, doesn't he set out a table in which he has the ratios of uro and hepta and copro set out?

A. Right.

Q. And as normal, that is less than 20 percent for uro, less than five percent for hepta and more than 70 percent for copro. And then he shows in that table how porphyria has its biochemical manifestation by progressing from those percentages of the porphyrins to Type B, for instance, where the uro is greater than 40 percent, the hepta is less than 20 percent and the copro is less than 40 percent?

A. Right. In association with increased uro-porphyrin and probably increased coproporphyrin. Mr. Carr, that is what the table states.

Q. There isn't anything in that table that refers to increased uros or increased copros. That table makes no reference to any such increase. Does it, sir?

A. Yes, it does, Mr. Carr.

Q. Where does it, sir?

A. In the first place, in the first column, the second category of persons are identified as having secondary coproporphyrinuria. Coproporphyrinuria implies that

1 coproporphyrin is increased in the urine.

2 Q. It implies it?

3 A. Yes. The term coproporphyrinuria means increased
4 urinary coproporphyrin.

5 Q. Doctor, that is just the, one of the conditions
6 shown on the page, is it not?

7 A. That's correct.

8 Q. Now, Doctor, referring to page 75, if you will of
9 the Strik article.

10 A. Yes.

11 Q. And with regard to your testimony in response to
12 Mr. Newbold, that Strik article does not find an inversion to
13 be abnormal, will you look at the summary on that page 75
14 in the book called Chemical Porphyrins in Man, and does not
15 that summary say that the urinary porphyrin pattern appears
16 to be a more sensitive indicator for chronic exposure to
17 TCDD than total porphyrin excretion?

18 A. That's what he states.

19 Q. The latter only becomes meaningful at levels higher
20 than 200 ug/l, what is that?

21 A. Micrograms per liter.

22 Q. Whereas an abnormal pattern may coincide with levels
23 below this value.

24 Does it not state that?

1 A. That is what it states.

2 Q. Now, Doctor, is it specifically referring to the
3 pattern caused by chronic exposure to dioxin, is it not, sir?

4 A. That's what it states.

5 Q. And doesn't the author say that where in effect
6 where you have a chronic exposure to dioxin, that the urinary
7 porphyrin pattern is a more sensitive indicator than total
8 porphyrin excretion?

9 A. Yes.

10 Q. Doesn't he say that?

11 A. Right. Yes. And I fully agree with that. The
12 porphyrins excretion pattern is the vitally important thing,
13 and that is what we have been reporting. That includes each
14 of these things that we have reported on.

15 Q. And you are referring to the exhibits that you have
16 just testified to as to these urinary patterns for some of
17 the plaintiffs in this case. Is that correct, sir?

18 A. Yes.

19 Q. All right. And, Doctor, pattern means more than
20 quantity, does it not? Doesn't pattern mean how one porphyrin
21 relates to another, where it stands with ratio, the percentages?
22 Isn't that what this author means when he says quote the urinary
23 porphyrin pattern, and I emphasize the word pattern, appears to
24 be a more sensitive indicator for chronic exposure to TCDD than

1 total porphyrin excretion?

2 A. No.

3 Q. You don't think that is what he means when he is
4 talking about the urinary porphyrin pattern?

5 A. When he speaks of urinary porphyrin pattern, he is
6 referring to, I am certain, the quantities of the individual
7 porphyrins that we have been talking about. Uroporphyrin,
8 coproporphyrin, heptacarboxylic, hexacarboxylic, pentacarboxylic,
9 and so on.

10 Q. Doctor, doesn't he actually in the very next sentence
11 say the contrary to what you just said? In the very next
12 sentence, he says, the latter, that is total porphyrin excretion?

13 A. That's right.

14 Q. Only becomes meaningful at levels higher than 200
15 micrograms?

16 A. That's correct.

17 Q. Whereas an abnormal pattern may coincide with levels
18 below this value?

19 A. That is correct. And that is not in disagreement with
20 our data or our experience.

21 Q. And doesn't Strik also say that Type B, this is
22 down further on the page, about six lines, seven lines from
23 the bottom, quote, Type B is characterized by inversion of the
24 normal ratio of coproporphyrin to uroporphyrin in the urine,

1 with uro as the dominant porphyrin, end of quote.

2 Doesn't he say that?

3 A. Yes, that's correct.

4 Q. Now again, Doctor, he is talking about an inversion
5 where there is a chronic exposure to dioxin. Is he not,
6 sir? Isn't that what this article is all about?

7 A. That's correct.

8 Q. All right. So now he is saying, sir, that where one
9 is exposed to dioxin and develops a Type B chronic hepatic
10 porphyria, that it is characterized by an inversion of these
11 normal ratios in the urine. Isn't he, sir?

12 A. That's correct.

13 Q. All right. Doctor, do you disagree that this ratio
14 then that is mentioned by the authors in the American book,
15 by Kappas, Sassa and Anderson is consistent with the ratios
16 mentioned by Strik in this book that we just discussed?

17 A. If you are referring to normal, yes. Because that
18 is what those authors referred to.

19 Q. All right. Now, Doctor, can it be that peculiarly,
20 TCDD exposure can cause this inversion of ratios whereas
21 exposure to some other type of substances might not cause an
22 inversion of the ratio?

23 A. Yes.

24 Q. And can it be that normals who have never been

1 exposed to dioxin or a toxic substance can show an inverted
2 ratio, and a person chronically exposed to TCDD can also display
3 an inverted ratio, the inverted ratio in the case of the
4 person exposed to the TCDD having been caused by that exposure
5 whereas the inverted ratio in the normal person, that just
6 happens to be the way he is. Is that possible, Dr. Ellefson?

7 A. I am sorry, Mr. Carr. I don't understand your
8 question. Would you give it to me in small doses please?

9 Q. What I am asking you, isn't it possible that a
10 person can have an inverted ratio and never be exposed to
11 dioxin, and it's normal for him?

12 A. Yes.

13 Q. As indicated by some of your Mayo studies?

14 A. That's correct.

15 Q. Whereas dioxin can cause an inverted ratio in a
16 person who would otherwise not have an inverted ratio?

17 A. Yes. Now the data presented by Strik and Doss
18 indicate that that inversion occurs as the uroporphyrin
19 concentration rises.

20 Q. All right. I won't quarrel with that.

21 A. As the uroporphyrin excretion rises.

22 Q. And Doctor---

23 A. So that kind of an inversion is not consistent with
24 normal values. It is, it occurs as a result of increased

1 urinary excretion of uroporphyrin.

2 Q. Doctor, would you agree that a physician treating
3 or responsible for the treatment of people who are exposed to
4 2,3,7,8 TCDD should look out for inverted ratios in their
5 coproporphyrin-uro output?

6 A. Yes, that would be one of the factors to look for.

7 Q. And Doctor, Mr. Newbold referred to the preface of
8 this book, Chemical Porphyria in Man. And you expressed some
9 form of disagreement with that introductory, not the preface
10 but the introductory statement?

11 A. Right.

12 Q. Because you said much of literature deals with
13 inherited porphyria and not just chemical porphyria. Do you
14 recall that, sir?

15 A. I believe you have misstated it.

16 Q. All right. Doctor, do you agree that this book, the
17 first page that you have in front of you I suppose is the cover,
18 or title page of the book, is dealing with just chemical
19 porphyrias and not inheritable porphyrias, except as they may
20 be caused by chemicals?

21 A. That is the primary objective, dealing with chemical
22 porphyria. But there were some references to inheritable
23 porphyria.

24 Q. Sure there are. No question about it. Because as you

1 testified, inherited porphyrias can be caused to be patent or
2 expressible clinically by exposure to a substance such as dioxin,
3 and so therefore this book if it deals with chemical porphyria
4 should indeed touch upon such inherited forms of porphyria,
5 right?

6 A. I suppose so.

7 Q. And Doctor, the preface of that page also deals,
8 the preface of this book also deals with ratios and patterns
9 of porphyrin metabolites, does it not, sir? Do you want to
10 turn to page V, Roman numeral five?

11 A. Yes.

12 Q. And the authors there or the editor that wrote
13 the preface, whoever that might be, Koeman, states as follows:

14 In clinical cases in man the condition of hepatic
15 porphyria is usually associated with a considerable increase in
16 the total amounts of porphyrins, especially uroporphyrin,
17 excreted in the urine. However, in recent years it has been
18 discovered that qualitative changes in the pattern of porphyrin
19 metabolites in the urine provides a far more sensitive
20 indication of these disturbances in the pathway of heme
21 synthesis than the quantitative changes.

22 Does he not, sir?

23 A. He states that, yes.

24 Q. And he also says that one feature then is that, at

1 total levels of excretion which fall within the normal range,
2 the proportion of porphyrin metabolites containing eight and
3 seven carboxylic groups is increased relative to those containing
4 six, five and four of these groups?

5 A. That's correct.

6 Q. My question is, the point that I am going to, Doctor,
7 is he is talking about, and these changes specifically total
8 levels of excretion of porphyrins which fall within the normal
9 range, is he not?

10 A. For the total, that's correct.

11 Q. Isn't that right? The total falls within a normal
12 range?

13 A. That's correct.

14 Q. And he says even though the total may be within the
15 normal range, the proportion of these various porphyrins
16 changing can indicate a porphyria?

17 A. That's correct.

18 Q. These tests that Mr. Newbold asked you that as
19 additional tests that could be performed to, I think you called
20 it the delta-ALA and the fecal porphyrin test?

21 A. Right.

22 Q. The clinical findings that the treating or the
23 examining doctor is presented with has a great deal to do with
24 whether or not he would want these additional tests as have

1 been mentioned by you under Mr. Newbold's examination. Isn't
2 that correct, sir?

3 A. I don't know, Mr. Carr. I would hope so.

4 Q. Well, if the doctor is doing his job, he is the one
5 that is trying to determine what tests would be helpful and
6 what tests would not be helpful?

7 A. Correct.

8 Q. And he relies upon what he sees, what he is confronted
9 with. A doctor could, if he wanted to be sure of everything,
10 could order every possible test on earth, couldn't he, sir?

11 A. Right.

12 Q. But he discriminates, doesn't he, a good doctor.
13 He determines to have some performed and others not performed?

14 A. Yes.

15 Q. Now, in regard to the delta-ALA test, for instance,
16 this is a rather crude test, isn't it, Doctor?

17 A. No, it is not.

18 Q. Is it commonly used today in the medical profession?

19 A. Yes, it is.

20 Q. As a matter of fact, hasn't it been largely replaced
21 by the sophisticated HLC which you perform?

22 A. No. No, it is not.

23 Q. Do you also perform these delta-ALAs, Doctor?

24 A. One of the laboratories in this institution does.

1 Q. Do you?

2 A. My laboratory does not. But Dr. Jones' laboratory in
3 chemistry here does.

4 Q. Doctor, insofar as a comparison between the HLC and
5 the delta-ALA, the HLC is much more sensitive, is it not?

6 A. The high-pressure chromatography? No, it depends on
7 what you are looking for, Mr. Carr. Delta-ALA and the
8 porphyrins are at different locations in the scheme of
9 porphyrinogen metabolism. And it is helpful to look at both
10 delta-ALA---

11 Q. You say it's helpful?

12 A. Very helpful.

13 Q. but Doctor, isn't it a fact that negative results in
14 the delta-ALA does not at all dispute or vitiate the results
15 in the HLC?

16 A. Negative findings? Of the delta-ALA would not
17 detract from positive findings in the chromatographic analyzation
18 of the porphyrins. That's true.

19 Q. The positive results would only be additionally
20 suggestive. Wouldn't they, sir?

21 A. In some cases. In other cases they would have probably
22 have been definitive. In cases where the porphyrin analyses
23 were negative, delta-ALA analyses might have very well supported
24 the diagnosis of an intoxication.

1 Q. What you are saying, where you've got a negative HLC
2 it's possible you might find it on a dealt-ALA. Is that
3 correct, sir?

4 A. That's correct.

5 Q. So the positive signs, however, that show up on the
6 HLC, the tests that you assayed, does not, is not subtracted
7 from by a negative finding on the ALA?

8 A. That's correct.

9 Q. And this statement is true, is it not, sir. Positive
10 signs in these additional tests would only be additionally
11 supportive. Negative signs would not detract from the
12 indication of intoxication porphyria?

13 A. That's correct.

14 Q. Doctor, with regard to the examinations and the tests
15 themselves of these 64 people whose urine samples you have
16 seen, you gave a list to Mr. Newbold, to Bill, of some 20, 21
17 people, that suggested the existence of some form of porphyria,
18 either intoxication porphyria or an inheritable form of porphyria,
19 from, based upon the results that you reported. And in each
20 case, Doctor, you testified as to the findings upon which you
21 made your opinion.

22 And in the differentiation between intoxication and
23 inheritable porphyria, you have in these cases nothing other
24 than what you have already told us that would allow you to

1 differentiate whether it was an intoxication porphyria or an
2 inherited form of porphyria. Isn't that correct?

3 A. I have nothing other than what?

4 Q. You have nothing to differentiate whether it is an
5 intoxication porphyria or an inherited form of porphyria in
6 these tests other than as you have described?

7 A. That is correct. There is no way of determining
8 definitively whether one would have an intoxication porphyria
9 or an inheritable porphyria without doing a family history
10 study.

11 Q. What you have been able to do by some of these results
12 is if it is an inheritable porphyria, you are able to distinguish
13 between one form or the other of inheritable porphyria in some
14 cases, are you not?

15 A. Yes. We have not adequate data in these cases
16 though for that purpose.

17 Q. So what I am getting at, if it is a form of inheritable
18 porphyria, you can in some instances tell which form it is by
19 their urinary output, correct?

20 A. We really need more than just a urinary porphyrins
21 test for that.

22 Q. Well, you have discriminated, not in these nine that
23 is listed in Exhibit 226, but in Exhibit 225, you have described
24 the abnormal condition as being possibly porphyria cutanea tarda,

1 which can be an inheritable porphyria?

2 A. Right.

3 Q. So you are able to by the porphyrin output, the
4 pattern, if you will, to distinguish between some forms of
5 inheritable porphyria and other forms of inheritable porphyria,
6 are you not, sir?

7 A. Yes.

8 Q. All right. But insofar as being able to tell whether
9 it is an inheritable porphyria or an intoxication porphyria,
10 you have no way of distinguishing that, from the data that
11 you have before you, isn't that correct, sir?

12 A. That's correct.

13 Q. These 21 plaintiffs, who could possibly have
14 intoxication porphyria or inheritable porphyria have nothing
15 to distinguish them, or nothing, one from another, other than
16 their porphyrin analysis, so far as you are concerned, and any
17 history that might have been given you. Isn't that correct,
18 sir?

19 A. Right.

20 Q. Now you have received a history that these, and you
21 know this from the results, the urine that was sent to you,
22 that there are 64 people involved?

23 A. Yes.

24 Q. And we have some 20 out of 64. Don't we, sir,

1 involved, subject to my question right now?

2 A. Yes.

3 Q. All right. Now for any one of these to be a form of
4 inheritable porphyria, three of the three or four forms of
5 inheritable porphyria that we have discussed, the odds I think
6 you said the other day are something like two-and-a-half to
7 three as opposed to 100,000. Correct, sir?

8 A. Right.

9 Q. So for any one of those, those would be the odds.
10 But now, Doctor, what would be the odds that one of these cases
11 were a form of inheritable porphyria out of, where there is 64
12 people the subject you are drawing from. The odds would be,
13 would it not, Doctor, billions and billions to one, that all
14 20 of those would have inheritable porphyria?

15 A. Looking at the matter superficially, yes, that's so.

16 Q. And that is the way I am looking at it, because these
17 are statistics that I am looking at. Now if we put it a
18 different way, that there is a town of 1000 people that this
19 sample of 20 is drawn from. The odds, and assuming, and you
20 have nothing to support that assumption, that these are the
21 only 20 people in that town that have possibly got an
22 inheritable porphyria.

23 A. Right.

24 Q. The odds that that 20 out of the 1000 would be

1 certainly billions to one as well, wouldn't it, sir?

2 A. Not necessarily.

3 Q. Well, the only difference would be if they all had
4 some family relationship in that 1000 group. Wouldn't that
5 be correct, sir?

6 A. That's correct.

7 Q. And we know, assume please that these 1000 folks are
8 not all related, family-wise. That they have the normal
9 breakdown of a normal midwestern small town, all right?

10 A. Yes.

11 Q. So the odds of all 20 out of this town of 1000 having
12 an inheritable form of porphyria would be billions to one.
13 Wouldn't it, sir? It's simple mathematical computation, isn't it?

14 A. Yes.

15 Q. Now, Doctor, however, if assuming that these 20--
16 so the odds of all 20 having inheritable porphyria has got to
17 be billions or trillions to one. But the odds of it being
18 intoxication porphyria is related only to whether or not they
19 are exposed to a substance capable of causing intoxication
20 porphyria. Isn't that correct, sir?

21 A. Right.

22 Q. And I don't mean to say that it's only dioxin that
23 can cause intoxication porphyria.

24 A. Right.

1 Q. Doctor, even if we cut it down, if we took out all
2 the possibles and the variants of normal, and we came down to
3 the final group of ten or 12 or however many it is that you
4 say suggestive or strongly suggestive of intoxication porphyria,
5 the odds of that ten or 11 or 12--

6 MR. NEWBOLD: It's eight.

7 Q. Of having an inheritable form of porphyria, again
8 out of 64, or out of the 1000, would be billions to one.
9 Wouldn't it, sir?

10 A. Yes, unless they are related.

11 Q. Unless they are all related, right. And the relation
12 would have to be in a bloodline, not by marriage. Correct,
13 sir?

14 A. Right.

15 Q. And you've got no history that all these 12 or nine
16 or 20 people are related by blood, do you, sir?

17 A. I have hardly any history at all.

18 Q. You did receive a history that the Bownes and the
19 Burks, the two Bownes are related by blood, and the two Burks
20 are related by blood. Didn't you, sir?

21 A. Right.

22 Q. But you were also told by Mr. Newbold that Larry Burks
23 is an alcoholic, and the child at 16, presumptively would not
24 be an alcoholic. Right, sir?

1 A. Yes.

2 Q. So that would again, the odds would be that this
3 group that you could get ten people out of a small midwestern
4 town who all had inheritable form of porphyria, the odds would
5 be billions to one against it. Wouldn't it, Doctor?

6 A. Right.

7 Q. So is it fair to say that if they are not all
8 interrelated that there is some form of toxic substance
9 causing this intoxication porphyria?

10 A. Some or several.

11 Q. Some or several, yes. Dioxin, phenols, drugs, things
12 of that sort. Isn't that correct, sir?

13 A. Right.

14 Q. And that would then depend upon the history,
15 wouldn't it, sir?

16 A. Yes.

17 Q. And of course whether or not the history is true.

18 All right.

19 While we are talking about Burks, isn't it a fact that
20 the porphyria and abnormalities that he displays is not
21 indicative of a form of porphyria caused by alcoholism?
22 Examine it please, Doctor.

23 A. That isn't necessarily so, Mr. Carr.

24 Q. Doctor, didn't you testify that he shows indications

1 of coproporphyrin or uro--I am sorry, that he indicates
2 coproporphyrin or intoxication porphyria and not porphyria
3 cutanea tarda?

4 A. Right.

5 Q. And did you not testify that it is porphyria cutanea
6 tarda that is caused by alcoholism?

7 A. That is commonly the cause. But coproporphyrinuria
8 can be caused by alcoholism also.

9 Q. Now, Doctor, you have what values increased in Larry
10 Burks?

11 A. I will have to look back at that. I believe it was
12 the coproporphyrin and pentacarboxylic porphyrin, was it not?
13 Larry Burks? Yes, in Larry Burks.

14 Q. That's the one that is the subject.

15 A. Okay. Coproporphyrin value is 142 as compared with
16 an upper limit of 96. And the pentacarboxylic at seven as
17 compared with an upper limit of four.

18 Q. And Doctor, did you not testify that too much alcohol,
19 to induce a porphyria cutanea tarda, you would find the
20 uroporphyrins increased and probably the hepta as well?

21 A. Yes. In association with porphyria cutanea tarda,
22 yes.

23 Q. And it is porphyria cutanea tarda that you testified
24 under Mr. Newbold's questioning that can be provoked by the

1 ingestion of alcohol?

2 A. Porphyria cutanea tarda can. Yes indeed.

3 Q. And Larry Burks does not present a picture of
4 porphyria cutanea tarda. Does he, sir?

5 A. That's correct. But his picture is not inconsistent
6 with a porphyrinuria caused by alcoholism.

7 Porphyria cutanea tarda is a common occurrence in
8 association with alcoholism. But coproporphyrinuria apparently
9 can be induced also. Coproporphyrinuria is a common problem
10 associated with degenerative liver disease. And it appears that
11 alcoholic liver disease can be a cause as well as liver
12 disease from other causes.

13 Q. Doctor, in any event with reference to Larry Burks,
14 his test is indicative of a very definite metabolic abnormality,
15 isn't it, sir?

16 A. I believe so. Michael Burks and Larry Burks have the
17 same kind of problem.

18 Q. Doctor, in discussing some of these 64 plaintiffs,
19 Mr. Newbold on redirect examination asked you about a number
20 that you have taken and put on a possible list rather than
21 strongly suggested, or however we got these lists-- I want to
22 refer to, one of the ones that he mentioned is Ann Bolles.
23 Isn't it a fact, Doctor, that you found that her abnormality,
24 that is the 26 micrograms of uroporphyrin excretion, isn't it a

1 fact, that that finding is clinically significant?

2 A. Yes. That's correct.

3 Q. And, Doctor, does it not cause suspicion toward either
4 intoxication porphyria or porphyria cutanea tarda?

5 A. That's correct.

6 Q. And, Doctor, referring to Felix Dominguez, isn't
7 the findings in the case of Felix Dominguez indicative of
8 intoxication porphyria?

9 A. No.

10 Q. Doctor, when you testified here on the 6th of
11 January, 1984, some 21 days ago, three weeks, didn't Mr.
12 Newbold ask you on direct examination with reference to Felix
13 Dominguez these questions:

14 Was Felix Dominguez' porphyrin analysis normal.

15 Your answer was no, it was not.

16 Question. And can you tell me in what respects it
17 was abnormal.

18 Answer. The coproporphyrin was increased to the value
19 of 120 with the upper limit being 96.

20 A. That's correct.

21 Q. Question. What is the significance of that one
22 abnormal finding, Doctor.

23 And wasn't your answer, answer, this can be indicative
24 of either coproporphyrin or intoxication porphyria?

1 A. It can be.

2 Q. Doctor, my question is, wasn't that the question asked
3 you at that time and wasn't that your answer at that time?

4 A. That's correct.

5 Q. So this abnormal finding is indicative of intoxication
6 porphyria, is it not, sir?

7 A. No. It can be but it is not necessarily.

8 Q. Doctor, none of these things are necessarily
9 indicative. You are just talking about what all of these abnormal
10 findings can indicate, aren't you, sir?

11 A. Some of them are more so than others, Mr. Carr.

12 Q. I agree more so than others. No doubt about that,
13 Doctor. But you are not saying for a certainty that any of
14 these with abnormal findings for sure have coproporphyrin or
15 porphyria cutanea tarda or an intoxication porphyria or an
16 inheritable porphyria. Are you, sir?

17 A. Some of these are quite strongly suggestive, Mr. Carr.

18 Q. Doctor, are the strongly, the ones that are strongly
19 suggested, are those people Brenda Ballard, Greg Ballard,
20 Esther Bevill, Ann Bolles, Mildred Bowne, Larry Burks,
21 Michael Burks, Felix Dominguez, Joyce Mason, Gary Robinson,
22 Patricia White?

23 A. I presume that's the list.

24 Q. Doctor, do you recall earlier we had gone through

1 a list that you said was strongly suggestive. And then I
2 prepared an exhibit that used the words strongly suggestive.
3 Do you recall that, sir?

4 A. I think so.

5 Q. And then you asked me to strike the words strongly
6 suggestive and I did. Do you recall that, sir?

7 A. Yes.

8 Q. Now you are saying that there are cases of porphyria
9 in these plaintiffs from Sturgeon where the test results are
10 strongly suggestive of intoxication porphyria. Is that
11 correct, sir?

12 A. No. You call these cases of porphyria.

13 Q. And you are quarreling with my use of the word
14 porphyria?

15 A. Among these cases, there are some that look like
16 porphyria, right.

17 Q. Now, you are saying it looks like. Didn't you say
18 just a moment ago strongly suggestive?

19 A. Strongly suggestive. Yes, strongly suggestive of
20 porphyria.

21 Q. And Doctor, in the case of Felix Dominguez, isn't the
22 abnormal finding indicative of intoxication porphyria?

23 A. No.

24 Q. Or coproporphyrin, either one of the two? Isn't it,

1 Doctor?

2 A. It could be indicative but not necessarily.

3 Q. Doctor, is it an abnormal finding or not?

4 A. I think it is.

5 Q. And you testified it was. Did you not, sir?

6 A. Yes.

7 Q. And is his porphyrin analysis then normal?

8 A. No, it is not.

9 Q. Is it abnormal?

10 A. I believe it is.

11 Q. And does it indicate coproporphyrin or intoxication
12 porphyria?

13 A. No. It could indicate but it doesn't necessarily.
14 It is suggestive of coproporphyrin or of intoxication porphyria.

15 Q. Doctor, I will certainly buy that. I won't quarrel
16 with that one bit. I believe that is what I am asking you.
17 You are using the word indicate and the word suggestive as being
18 not synonymous. Is that correct, sir?

19 A. That's correct.

20 Q. Doctor, with relation to Duane Embree, isn't his
21 abnormality also suggestive of intoxication porphyria or
22 coproporphyrin?

23 A. It is suggestive, yes.

24 Q. And Doctor, in the case of Gary Robinson, isn't his

1 increased value of porphobilinogen at 2.8 indicative or
2 suggestive of the presence of intoxication porphyria?

3 A. It's suggestive.

4 Q. All right. Back to one of these others that Mr.
5 Newbold asked you about, with relation to John Bowne, who has
6 what you have called a marginally increased porphobilinogen
7 value.

8 A. Right.

9 Q. Isn't it a fact that this also is suggestive of
10 intoxication porphyria or an inheritable form of porphyria?

11 A. Mildly suggestive.

12 Q. Doctor, you didn't use the word mildly suggestive
13 when you were asked the question on direct examination. Did
14 you, sir?

15 A. I am sorry. I don't recall.

16 Q. You said it casts suspicion. Didn't you, sir?

17 A. And I would agree with that.

18 Q. All right. With relation to John Dominguez, Doctor,
19 with relation to John Dominguez, is it not a fact that his
20 elevated coproporphyrin value suggests intoxication porphyria
21 or an inherited form of porphyria?

22 A. This suggestion is very mild in this case.

23 Q. Well, without regard to whether or not it's very mild
24 or mild, it does indeed suggest it. Doesn't it, sir?

1 A. Well, the combination of coproporphyrin value and
2 the porphobilinogen value casts a very mild suspicion.

3 Q. And Doctor, with relation to David White, this
4 abnormal coproporphyrin excretion could indicate a form of
5 porphyria. Could it not, sir?

6 A. This value of 101 casts a suspicion.

7 Q. My question is, Doctor, could not this abnormal
8 excretion indicate a form of porphyria such as intoxication
9 porphyria?

10 A. It could be consistent with a porphyria.

11 Q. Doctor, would you please answer my question? You
12 can say yes, it could indicate a form of porphyria such as
13 intoxication porphyria, or you can say no, it doesn't. But
14 please don't change my question.

15 My question I will ask you again, could not this
16 abnormal coproporphyrin excretion indicate a form of porphyria
17 such as intoxication porphyria?

18 A. I am still having difficulty with the use of the word
19 indicate.

20 Q. Doctor, on January the 17th, did you not testify,
21 page 264, and with relation to David White.

22 Doctor, could this abnormal coproporphyrin excretion
23 indicate a form of porphyria.

24 And wasn't it your answer, I believe so.

1 Wasn't that your answer at that time, Doctor?

2 A. If that is what the record states.

3 Q. Well, to refresh your recollection, Doctor, wasn't
4 that your answer at that time, I believe so?

5 A. Apparently so.

6 Q. Now Doctor, referring to Joe Robinson. Cannot the
7 values reported for Joe Robinson, the porphobilinogen value
8 of 1.6, is not that surely suspect?

9 A. It is suspect.

10 Q. And could it not be a form of intoxication porphyria
11 being expressed there?

12 A. That's a possibility.

13 Q. Doctor, Mr. Newbold asked you a number of questions,
14 or questions rather relating to the possible medicine, alcohol,
15 or drug intake of a number of persons. Specifically Patricia
16 White, Ann Bolles, Felix Dominguez, Larry Burks, John Bowne
17 and John Dominguez. Do you recall that, sir?

18 A. Yes.

19 Q. And you in response to his questioning, you agreed
20 that it's possible that the medications or drugs that they were
21 taking, or alcohol in the case of some mentioned, could be
22 responsible for the abnormal values that you found with regard
23 to each of those persons. Do you recall that?

24 A. Yes.

1 Q. Again, whether or not that or a substance such as
2 phenol or a substance such as dioxin, or some other substance
3 not known and not mentioned caused these abnormalities is not
4 something that is within your knowledge. Isn't that correct,
5 sir? Doctor, isn't it a fact---

6 A. I guess the form of the statement is okay. I will
7 answer yes.

8 Q. And Doctor, that is because as you have testified both
9 on direct and cross examination a number of times, there are a
10 lot of various things that cause intoxication porphyria. And
11 you by your analysis have no way of distinguishing between
12 one or more of these toxic substances that might cause a porphyria.
13 Isn't that correct?

14 A. Yes.

15 Q. That is something that the treating doctor, the
16 examining doctor or some other investigators determine by way
17 of history. Isn't that correct, sir?

18 A. It may be impossible to determine whether a toxic
19 substance has been involved.

20 Q. Doctor, but investigators do go to plants and determine
21 whether or not there are toxic substances to which workers are
22 exposed, do they not, sir?

23 A. Yes.

24 Q. And investigators go to waste sites where hazardous

1 materials are dumped and the people are exposed, to determine
2 what kind of hazardous material may be afflicting the public
3 in that particular community. Don't they, sir?

4 A. Yes.

5 Q. And Doctor, is it not possible by history to arrive
6 at a reasonable conclusion as to what could be the cause of an
7 intoxication porphyria notwithstanding the fact that you cannot
8 tell by laboratory analysis?

9 A. It has been possible, of course, in many cases to
10 identify substances responsible for intoxication porphyria. But
11 it also has been impossible in many, many cases to identify
12 substances that have been responsible for either inducing
13 intoxication porphyria or precipitating acute episodes of
14 inheritable forms of porphyria.

15 Q. What one does is take the evidence that is presented
16 by investigation, by history and other ways in an effort to
17 arrive at a logical or reasonable or scientific conclusion.
18 Isn't that right, Doctor?

19 A. Right.

20 Q. Doctor, Mr. Newbold asked you about genetic mutation
21 on redirect examination, insofar as it applies to these plaintiffs.
22 And he suggested to you that you have no evidence of a genetic
23 mutation took place from this porphyrin data. Do you recall
24 that, sir?

1 A. Yes.

2 Q. You have no evidence from this data that you have
3 that a genetic mutation has not taken place, have you, sir?

4 A. That's correct.

5 Q. And Doctor, he also asked you whether or not you had
6 any evidence that any of these plaintiffs have suffered a
7 mutation of their reproductive cells. Do you recall that, sir?

8 A. Yes.

9 Q. And you said there was no evidence of that, but just
10 the contrary or the converse is just as true. That is you have
11 no evidence, do you, sir, that the reproductive cells of these
12 plaintiffs have not suffered a mutation. Isn't that correct?

13 A. That is correct. May I offer one free-standing
14 unrelated statement? It will be very brief. Just a word of
15 explanation.

16 I made an error a few days back in the use of a term.
17 I believe I used the term transmutation a few times. The
18 correct term is mutation. Transmutation refers to something
19 entirely different.

20 Q. And Doctor, insofar as Eleanor Arp, Joyce Kemner,
21 Gary Mason are concerned, these, the results in their analysis
22 of their uroporphyrins do suggest an abnormality of
23 porphobilinogen metabolism. Do they not, sir?

24 A. You stated porphobilinogen. Darryl Arp?

1 Q. No, Eleanor Arp, Joyce Kemner, Gary Mason.

2 A. Eleanor Arp had a pentacarboxylic porphyrin value
3 of four with the upper limit stated as three.

4 Q. Doctor, we would save some time if you will review
5 it just so you can answer my question.

6 A. Your statement was specifically in regard to
7 porphobilinogen?

8 Q. Yes. These three.

9 A. Eleanor Arp?

10 Q. Persons, you have testified as to Eleanor Arp, Joyce
11 Kemner, Gary Mason. That as to these three persons, that the
12 abnormal findings while not necessarily indicative of a
13 porphyria do suggest an abnormality in the porphobilinogen
14 metabolism?

15 A. Porphyrinogen metabolism?

16 Q. Is that what it was?

17 A. Porphyrinogen metabolism. Porphobilinogen is normal
18 here.

19 Q. Doctor, do these results in the case of Eleanor Arp,
20 Joyce Kemner, Gary Mason suggest an abnormality in the
21 porphyrinogen metabolism in those people?

22 A. Yes, mildly.

23 Q. And Doctor, this abnormality that is suggested, as you
24 have described it, in the porphyrinogen metabolism, is part of

1 the so-called cascade whereby the heme is actually produced,
2 isn't that correct, sir? Is that correct, Doctor?

3 A. Sequence.

4 Q. Sequence, cascade, sequence. Isn't it correct, sir,
5 that this is important in production of heme?

6 A. Yes.

7 Q. And it is heme that is part of our blood system, is
8 it not, sir?

9 A. Right.

10 Q. Now, Doctor, referring to your reference values that
11 we have here, you have given us the raw data. Let's mark
12 this one before I get on that raw data. I made this.

13 MR. CARR: I will pass it to the jury at this point,
14 Your Honor. So the jury might understand, the list that was
15 originally, that they are now put down in the bottom column.

16 (Whereupon Plaintiff's Exhibit 227 was passed to the
17 jury.)

18 Q. Could you take a look at that exhibit? Doctor, that
19 exhibit purports to be, it's captioned Quantitative Porphyrins,
20 Abnormal Test Results Suggestive of Intoxication Porphyria or
21 Need for Further Testing. And it's separated into two separate
22 groups. One is suggestive and one you have testified need further
23 testing.

24 Do you see that, sir?

1 A. Yes, I do.

2 Q. And does it accurately reflect the test value you
3 have given earlier, sir?

4 A. I believe it would be appropriate if the word marginal
5 were substituted for abnormal.

6 Q. Well, that wouldn't be appropriate because they were--
7 we will say abnormal or marginal.

8 A. Okay.

9 Q. And with that change then, Doctor, does it accurately
10 reflect your testimony?

11 A. Mr. Carr, the last four names on the first part of
12 this list, Gary Robinson, Joe Robinson, David White, Patricia
13 White, really should be included in the second portion, need
14 for further testing.

15 Q. You would draw the line right here then?

16 A. Yes.

17 Q. Is that all right now?

18 A. Yes.

19 MR. CARR: At this point, Your Honor, Mr. Newbold
20 will read the part of his redirect examination of Dr.
21 Ellefson.

22 THE COURT: We will take a short break at this time.
23 Ladies and gentlemen, I would admonish you now as I do before any
24 break and this admonishment will apply for any breaks during

1 the day that you are not to discuss this matter among yourselves
2 or with anyone outside the jury panel or as of yet form any
3 opinions or conclusions about the matters of trial. Court
4 will be in about a fifteen minute recess.

5 COURT RECESSED:

6 (The following proceedings were had in the presence
7 and hearing of the jury.)

8 THE COURT: Mr. Newbold.

9 MR. NEWBOLD: Your Honor, this is a continuation of
10 my redirect examination of Dr. Ellefson.

11 Q. Doctor, under recross examination by Mr. Carr, he
12 pointed out to you an article in a book. Disorders of Porphyrins
13 and Heme Metabolism.

14 A. That's the title of the chapter.

15 Q. And Chapter six, he called it the porphyrias by
16 Kappas, Sassa and Anderson. And Mr. Carr read you this
17 sentence and asked you whether you agreed with it.

18 In all of the inherited forms of human porphyria,
19 environmental factors including nonheritable factors play a
20 vital role in determining clinical expression of the gene
21 abnormality.

22 Do you remember him reading that to you?

23 A. Yes.

24 Q. Doctor, what are the environmental factors that the

1 authors are referring to in that sentence?

2 A. Probably toxic chemicals of a variety of sorts.
3 Probably non-chemical stresses.

4 Q. What is a non-chemical stress?

5 A. Emotional stress, job related stress. I think those
6 would be the main factors.

7 Q. Doctor, on page 1302 of that article, wherein the
8 authors are talking about the clinical expression of acute
9 intermittent porphyria, they state that, page 1302, third line:

10 Hormonal, drug and nutritional factors predispose to
11 full expression of the disease probably by including hepatic
12 delta-aminolevulinic acid synthase, the rate limiting enzyme
13 for heme biosynthesis in the liver.

14 Do you agree with that?

15 A. Yes. The underlying factor, however, the primary
16 underlying factor is the inheritable deficiency of the enzyme,
17 neoporphyrinogen one synthase and metabolic problems related to
18 that are related to stimulation of the synthesis of delta-
19 aminolevulinic acid.

20 Q. Doctor, directing your attention then to page 1303 of
21 the article, and going down, in the first column, ten lines
22 down, the authors state:

23 In every major form of these diseases a clear
24 environmental or chemical factor whether it be sunlight, in

1 the erythropoietic porphyrias, or drugs and hormones in the
2 hepatic porphyrias, influences the clinical expression of the
3 disorder in the genetically susceptible individual.

4 Do you agree with that?

5 A. Yes.

6 Q. Doctor, during the recross examination by Mr. Carr,
7 he questioned you as to the findings by these authors concerning
8 the ratios of coproporphyrins to uroporphyrins. Do you
9 remember that cross examination?

10 A. Yes.

11 Q. Can you explain for me, Doctor, how your results, test
12 findings here at Mayo Clinic differ or are the same with those
13 of the authors of this book called Disorders of Porphyrin and
14 Heme Metabolism?

15 A. Those authors have not stated actual ranges or actual
16 examples of data. They have given average, usual numbers. We
17 have no basis for direct comparison with the normative data that
18 I provided.

19 Q. And why is there no basis for comparison?

20 A. Well, there may be a basis for a very limited comparison.
21 If you look at the average values taken from our normative data
22 set and compare with the average or usual values presented by
23 these authors, then there should be close similarity.

24 But if we are going to look at a ratio of say

1 coproporphyrin to uroporphyrin, and the range that that ratio
2 covers, then we must look at the range of values that these
3 authors are referring to. And they are not presenting ranges
4 of values from which we can derive a range for ratios.

5 Q. Doctor, directing your attention to page 14 of the
6 book, Chemical Porphyria in Man, Dr. Ellefson's Deposition
7 Exhibit 209, you were cross examined by Mr. Carr on this table,
8 were you not?

9 A. Yes.

10 Q. And you were not able to continue your answer insofar
11 as the increases of the coproporphyrinuria. Is that correct?

12 A. In regard to the increase of urine porphyrin excretion?

13 Q. Yes.

14 A. Right.

15 Q. And can you explain for me whether or not Doss in
16 that table finds that there is a normal ratio of coproporphyrin
17 to uroporphyrin when the excretion values are normal?

18 A. When the excretion values are normal, according to
19 this table, coproporphyrin is much greater than uroporphyrin.
20 Which is much greater than heptacarboxylic porphyrin, which
21 is approximately equal to the pentacarboxylic porphyrin, which
22 is greater than the hexacarboxylic porphyrin, which is greater
23 than the tricarboxylic porphyrin.

24 Q. And according to the Doss Table Two, when do the

1 ranges get out of line?

2 A. As the patient or the person's disease becomes
3 transformed from chronic hepatic porphyria Type A to chronic
4 hepatic porphyria Type B.

5 Q. So does Doss' table deal with someone who has porphyria?

6 A. Yes, definitely.

7 Q. So is Doss' table a reflection of the ratios in a
8 normal person?

9 A. Only in the portion of the table identified as
10 referring to normal persons. The data indicated or the
11 indication for persons with a secondary coproporphyrinuria, chronic
12 hepatic porphyria, Types A, B, C, D, those must refer to persons
13 who are excreting increased amounts of porphyrin.

14 This I must point out is further exemplified in the
15 figure, the histogram--I am sorry, it's not a histogram, bar
16 graph type figure and I believe two other papers. The same
17 figure is used for at least two of the other papers in this
18 exhibit.

19 Q. Doctor, directing your attention to page 75 and 76 of
20 Exhibit 209, Chemical Porphyria in Man, do you recall being
21 cross examined by Mr. Carr involving the inversion of the ratio
22 of coproporphyrin to uroporphyrin?

23 A. Yes.

24 Q. And directing your attention to those pages, Does

1 Dr. Strik find an inversion when the reference values for the
2 excretion of the porphyrins are normal?

3 A. Apparently not.

4 Q. Now Doctor, directing your attention to preface Roman
5 five.

6 Doctor, Mr. Carr read you this sentence:

7 Quote one feature then is that, at total levels of
8 excretion which fall within the normal range, the proportion of
9 porphyrin metabolites containing eight and seven carboxylic
10 groups is increased relative to those containing six, five and
11 four of these groups.

12 Do you recall him cross examining you on that?

13 A. Yes.

14 Q. Doctor, does that mean that the author is concluding
15 that when the normal reference values for coproporphyrins
16 and uroporphyrins are within their normal ranges, that there
17 have to be a certain ratio?

18 A. I believe he is implying that there is a limited range
19 of ratios when they are within normal limits.

20 Q. Okay. Now is he talking about, when he says total
21 levels of excretion which fall within the normal range, is he
22 talking about the individual porphyrins that you have studied,
23 or is he talking about all the porphyrin levels?

24 A. I believe he is referring to the sum total of all

1 of the porphyrins. The term total, I am sure he is using to
2 refer to all of the porphyrin in the urine. And indeed it is
3 possible to have a normal value for total urinary porphyrin
4 excretion and to have within that a significantly increased
5 uroporphyrin value or a significantly increased coproporphyrin
6 value.

7 Q. Okay. Doctor, is there anything else in this article
8 that you were cross examined on by Mr. Carr that expresses the
9 author's opinion on the subject of the ratios within the normal
10 excretion ranges?

11 A. Well, I believe there is a problem in the use of
12 words. In the second statement of the third paragraph on that
13 page, the author refers to qualitative changes in the pattern
14 of porphyrin metabolites in the urine as providing a far more
15 sensitive indication of porphyric disturbances in the pathway
16 of heme synthesis than the quantitative changes. And I frankly
17 do not know what he means by qualitative and quantitative here.

18 He goes on in the next statement to state that one
19 feature then is that at total levels of excretion, and here he
20 must be referring to the sum total of all the porphyrins in the
21 urine, which fall within the normal range, the proportion of
22 porphyrin metabolites containing eight and seven carboxylic
23 groups is increased relative to those containing six, five and
24 four of these groups.

1 Now here he is talking about a quantitative relationship
2 of uroporphyrins and heptacarboxylic porphyrins to the other
3 porphyrins. And I gather from his statement that that is what
4 he is referring to, this qualitative change is used, the term
5 is qualitative and quantitative rather imprecise here.

6 That may be a side issue, but it exemplifies the problem
7 trying to understand some of the material in this document,
8 because of imprecision in the use of some of the descriptive
9 terms.

10 Q. Doctor, in the fourth paragraph of the preface to this
11 article, the authors say the shift in the urinary porphyrin
12 pattern does not appear to be related to any symptoms of
13 disease either in animals exposed under experimental conditions
14 or in human subjects accidentally exposed to a porphyrinogenic
15 chemical.

16 Do you agree with that?

17 A. Well, I believe there is a problem here in how the
18 author uses the term symptoms of disease. Apparently in some
19 experimentally induced porphyrias he has not observed signs of
20 disease other than increased porphyrin and possibly porphyrinogen
21 excretion. That is what he states.

22 Q. What does the author mean when he states that the shift
23 in the urinary porphyrin pattern does not appear to be related
24 to any symptoms of disease, either in animals exposed under

1 experimental conditions or in human subjects accidentally
2 exposed to porphyrinogenic material?

3 A. I presume he is referring to his own experience. But
4 if we look at persons who are known to have porphyria that has
5 been provoked into active form as a result of exposure to a
6 medication or a toxic chemical, those, many of those persons do
7 indeed present signs and symptoms characteristic of disease.
8 Neurologic signs are common. Dermatologic signs are common.

9 Q. Doctor, does this author also state in the same
10 paragraph, it is also found that this effect is completely
11 reversible since the pattern is restored to normal as soon as
12 the compounds are eliminated from the body?

13 Is that what he states there?

14 A. Yes.

15 Q. And do you agree with that?

16 A. My feeling is that this might be correct, probably is
17 correct in some cases where exposure to a toxin has been
18 relatively light. But surely not of sufficient magnitude to
19 provoke a clinically significant, medically significant episode
20 of porphyria.

21 Q. Doctor, under recross examination by Mr. Carr, you
22 testified that the odds for contracting intoxication porphyria
23 are only related to an exposure to a toxic chemical. Did you
24 recall being cross examined on that topic?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. Yes.

Q. And you would agree, would you not, Doctor, that the odds relating to intoxication porphyria would be for toxic chemicals, drugs, medicines, and other agricultural pesticides or industrial chemicals?

A. Yes. I believe it's also fair to lump most of those into the overall category of toxic chemicals. Yes.

Q. Is also alcohol a toxic chemical?

A. Indeed it is.

Q. Doctor, the odds of contracting intoxication porphyria are also, are they not, related to how long one has been exposed to drugs, chemicals, pesticides and other toxic chemicals?

A. The time factor apparently has been important in some cases. Dose has been more important in some cases.

Q. And are the odds for contracting intoxication porphyria also related to the manner in which the person was exposed to the toxic chemical?

A. I presume that would be a very important factor.

Q. Doctor, directing your attention to Exhibit 227, which is Mr. Carr's exhibit, where he has two columns, one being suggestive of intoxication porphyria or need for further testing.

Do you recall testifying off of that exhibit, Doctor?

A. Yes.

1 Q. And do the names that appear under need for further
2 testing, are those the same names which you had previously given
3 me as being marginally abnormal or a variant of normal?

4 A. Yes.

5 Q. No further questions.

6 (At this time Mr. Carr continues with the reading of
7 his recross examination.

8 Q. Doctor, just a short few, hopefully. In the chapter
9 from the book, Disorders of Porphyrin and Heme Metabolism,
10 Doss is cited from time to time as a reference authority, is
11 he not?

12 A. I am not sure. I think he is.

13 Q. Yes, he is. I wouldn't be asking you that if he
14 weren't.

15 A. Okay.

16 Q. Here is one. I have seen some others. Here is
17 another one.

18 And so is it not true that Doss is relied upon--and
19 here is another one. By the authors of this chapter in this
20 book as a reference authority?

21 A. Yes.

22 Q. For some of the statements they make?

23 A. Right.

24 Q. Now Mr. Newbold referred to the statements in the

1 preface in the Strik and Doss book, Chemical Porphyrins in
2 Man. Do you recall that?

3 A. Yes.

4 Q. And he directed your attention to the second to last
5 paragraph on page Roman numeral five. Do you recall that?

6 A. Yes.

7 Q. In which it talks about the shift in the urinary
8 porphyrin pattern.

9 A. Yes.

10 Q. And by that they are talking about this shift of
11 pattern from more copro to less, and to more uro, correct?

12 A. I believe they are talking about a shift from having
13 copro predominate to having uro predominate.

14 Q. Yes.

15 A. Okay.

16 Q. And that is the pattern they are talking about. And
17 isn't this paragraph simply, means that the author believes
18 that by detecting that shift, you can determine simply a pre-
19 toxic effect, that is that this will precede possible toxic
20 damage to the human body. Isn't that simply all he is saying
21 in that paragraph, Doctor?

22 A. He is implying that this change can occur before any
23 evidence of disease occurs.

24 Q. All right. And he is also suggesting in the next

1 paragraph that follows that, that because of this possibility
2 of detecting this shift in pattern, in a routine measurement
3 of the patterns, could represent a useful tool in monitoring
4 humans against the effects of environmental contamination.
5 Isn't that what he is saying?

6 A. Yes, to detect an effect before it becomes a disease
7 problem.

8 Q. Right.

9 A. Yes.

10 Q. And he states in the part preceding that, that this
11 hepatic porphyria probably precedes or coincides, I still
12 don't under that coincides.

13 A. I don't either.

14 Q. Probably means come at the same time.

15 A. I suppose.

16 Q. Precede or coincide all of the same effects which may
17 be induced by these chemicals and after sub-acute or short term
18 exposure, and by these chemicals, Doctor, he is talking about
19 environmental contaminants, vinyl chloride, hexachlorobenzene,
20 certain brominated and chlorinated biphenyls and
21 tetrachlorodibenzodioxin. Is he not?

22 A. Yes.

23 Q. No more questions.

24 MR. NEWBOLD: That's it.

1 THE COURT: Gentlemen, could I see you at the bench
2 for just a second?

3 (Bench conference out of the hearing of the jury and
4 off the record.)

5 MR. CARR: I call Dr. Ellen Silbergeld.

6 MR. HEINEMAN: Your Honor, if the Court please,
7 before we get started, I would like to introduce my associate
8 Jane Rudolph to the Court and to the jury, an attorney practicing
9 with our firm.

10 THE COURT: Okay.

11 ELLEN SILBERGELD

12 called as a witness, being duly sworn, testified as follows:

13 DIRECT EXAMINATION

14 By
MR. REX CARR

15 Q. Now, Doctor, would you state your name for the jury,
16 please.

17 A. My name is Ellen Silbergeld.

18 Q. And, Doctor, you are a doctor of what?

19 A. I have a Ph.D. in environmental science.

20 Q. And are you a married lady?

21 A. I am.

22 Q. And your age, ma'am, and remember you are under oath.

23 A. 38.

24 Q. And, Doctor, where did you attend--by profession,

1 what is your profession?

2 A. I am a toxicologist.

3 Q. All right. And where did you attend undergraduate
4 in the course of arriving at the profession that you now enjoy?

5 A. Vassar College in Poughkeepsie, New York.

6 Q. Graduating when, Doctor?

7 A. 1967.

8 Q. Doctor Silbergeld, you went on to take additional
9 training in your specialty and where did you take your training and
10 when did you take it?

11 A. At Johns Hopkins University between 1968 and 1972.

12 Q. Did you get a degree beyond the A.B. degree and
13 prior to the time you got a Ph.D.?

14 A. No. I was accepted straight into the Ph.D. program.

15 Q. All right, and you received the Ph.D. in 1972?

16 A. That is correct.

17 Q. All right. Could you explain to the jury the difference
18 between a Ph.D. and, say, an M.D.?

19 A. Ph.D. degree is a research degree which is based on
20 the conducting of original basic scientific research, if it is
21 in the sciences. It does not permit the holder to practice
22 clinical medicine.

23 Q. Whereas an M.D. is a doctor of medicine and does
24 treat human beings?

1 A. That is right.

2 Q. Now, are there Ph.D.s not just in your field, Doctor,
3 but in a number of other fields that have absolutely nothing
4 to do with science or medicine or anything of that sort?

5 Ph.D. in literature and Ph.D. in psychology or law--well, not
6 in law but all sorts of other areas where there are Ph.D.s?

7 A. Yes, there are.

8 Q. Doctor, what kind of training did you receive, what
9 did you study to arrive at--to be allowed to get a degree
10 called Ph.D.?

11 A. For Ph.D. in the sciences, I had to study a number of
12 courses in the basic sciences ranging from physics through
13 mathematics through biology, biochemistry and pharmacology.
14 A number of these courses were courses given at the Johns
15 Hopkins Medical School and indeed were the same courses given
16 to medical students enrolled to get the M.D. degree. In
17 addition to receiving the Ph.D. degree at Johns Hopkins, I had
18 to conduct, design, conduct and complete and publish original
19 research in my field.

20 Q. And was it necessary that a board of professionals or
21 people associated with your graduate school or at least one or
22 more persons pass upon your proficiency in this phase before
23 you could be awarded the Ph.D. degree?

24 A. Yes. At Johns Hopkins there were two such boards of

1 examination.

2 Q. Now, Doctor, during the course of your education,
3 did you have employment at various institutes or agencies during
4 the time that you were receiving your undergraduate and
5 graduate training?

6 A. While I was an undergraduate, I worked during the
7 summers and during the school year to hold my scholarship which
8 I was awarded by Vassar College.

9 Q. Doctor, did you bring with you--I have your copy.
10 Would it help you to go through your qualifications that you
11 have what is called a curriculum vitae in front of you,
12 Doctor, to jog your memory?

13 A. Yes, it would.

14 Q. I will hand it to you and that is the curriculum vitae
15 that I have previously been supplied at my request, is that
16 correct?

17 A. It is.

18 Q. And, Doctor, while we are on the subject, the
19 plaintiffs in this case have employed you as an expert toxicologist
20 and you are getting paid and you expect and indeed to be further
21 paid for services you have rendered us?

22 A. I do.

23 Q. All right. Now, Doctor, did you have occasion to receive
24 some training in Sweden for instance, or some employment in

1 Sweden?

2 A. Yes.

3 Q. What was the nature of that work?

4 A. After graduating from college, I was hired to be an
5 instructor in an international summer school run jointly by
6 the University of California and the University of Uppsala,
7 in Uppsala, Sweden.

8 Q. What was the purpose of that? What did you teach?

9 A. I was teaching a course in modern history.

10 Q. Not associated with toxicology, I take it?

11 A. No.

12 Q. All right. And what other employment did you have
13 during the course of achieving your academic or professional
14 degree?

15 A. In early 1968 I was employed by the National Academy
16 of Sciences in Washington, D.C. as secretary to the Committee
17 on Natural Resources. I was also appointed Program Officer
18 of a special program devoted to producing scientific books on
19 topics in environmental sciences. At the request of my
20 supervisor at the National Academy of Sciences, I kept that
21 latter position of Program Officer during the first two years
22 of my graduate training on a part-time basis.

23 Q. And were you at that time engaged and had you decided
24 upon your professional career to be that of a toxicologist?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. I did.

Q. And did you in addition to that employment and during that period of time and following that, did you have another employment in Washington, D.C.?

A. I did. In the summer of 1968 and '69 after my first year of graduate school I applied for an internship with the Center for the Study of Responsive Law.

Q. And did they give you an internship?

A. I did receive that internship.

Q. How long were you with that center?

A. Full time for that summer although part of that time I was also taking some scientific course-work and then intermittently for about the next year and a half to work on completing a book which was published as a result of my work as an intern.

Q. And what was that book?

A. The name of the book was Water Wasteland.

Q. And did that deal, then, with the environment and contamination of our water and resources?

A. It dealt with efforts to control pollution of drinking water, yes.

Q. Now, Doctor Silbergeld, did you go on after your graduation to become employed as what is known as a fellow?

A. Yes.

1 Q. What is a fellow? I am not sure that I really
2 understood what a fellow is other than a fellow on the street.

3 A. Well, for women, I guess, it is not a fellow on the
4 street but a post-doctoral fellowship is an honorary competitive
5 positbn awarded by universities with monies from the National
6 Institutes of Health, in my case to allow a young scientist
7 to develop and pursue independent research.

8 Q. You are being paid, then, to do research, is that
9 what it is?

10 A. That is right. In addition, I assisted in
11 supervising graduate students and in teaching in courses in
12 toxicology.

13 Q. Now, you were by that time teaching in this field of
14 toxicology, were you?

15 A. I was.

16 Q. And was that at Johns Hopkins in Baltimore, Maryland?

17 A. That was at Johns Hopkins, both the university and the
18 medical school.

19 Q. Now, did you at about that time receive a professorship
20 at Johns Hopkins?

21 A. At the conclusion of my fellowship, I was offered a
22 position on the faculty as assistant professor in the department
23 of environmental medicine.

24 Q. And did you accept it?

1 A. I accepted it for a short period but at the same time
2 I was being offered a position at the National Institutes of
3 Health and eventually I took that position and resigned my
4 professorship at Johns Hopkins.

5 Q. All right. And when it says environmental medicine
6 when you were for the short time a professor in that department,
7 what is environmental medicine?

8 A. Environmental medicine is the field which studies the
9 effects of alterations in the environmental including chemical
10 exposure on human beings and experimental animals as models
11 of human beings.

12 Q. All right. And your aim in doing that kind of work
13 is what, Dr. Silbergeld?

14 A. The purpose of that kind of research is to understand
15 and to predict the effects of environmental pollution and
16 alterations on human health and to prevent them as far as
17 possible.

18 Q. And following that extent as an assistant professor,
19 did you then, as you have indicated, go on to the National
20 Institutes of Health?

21 A. I did.

22 Q. What is the National Institute of Health? Is it a
23 private organization, is it a government organization or
24 combination? Just what is the National Institute of Health?

1 A. The National Institute of Health is a collection of
2 biomedical research institutes under the Department of Health
3 and Human Services. It is a government agency.

4 Q. A government agency? All right. And what was your
5 position then with the National Institute of Health?

6 A. I was a staff scientist or fellow as indicated here
7 and also was in charge of a specific laboratory, the laboratory
8 of behavioral neuropharmacology.

9 Q. What does that mean, behavioral neuropharmacology?

10 A. That is the branch of biology which studies the
11 effects of chemicals including drugs on the brain and behavior.

12 Q. And did you conduct at that time actual experiments,
13 Doctor?

14 A. I conducted actual experiments and supervised
15 experiments by people on my staff.

16 Q. What kinds of experiments were being undertaken by
17 you and under your supervision?

18 A. Primarily animal experiments but I also had corroborative
19 studies with clinical studies, that is M.D.s who were part
20 of this branch at NIH.

21 Q. And what particular medicine or drug or chemicals
22 were you studying?

23 A. We were examining the effects of a group of new drugs
24 which had been proposed for the treatment of diseases with

1 Parkinsonism.

2 Q. And did you after your appointment as a fellow and
3 head of this unit, did you receive some other employment by
4 the National Institute of Health?

5 A. Yes. In 1979 I was promoted to chief of my own
6 laboratory, a laboratory of neurotoxicology.

7 Q. And what did you serve as chief of that laboratory
8 for how long?

9 A. For approximately two years.

10 Q. What is neurotoxicology?

11 A. It is the study of adverse effects of chemicals and
12 other compounds on the nervous systems.

13 Q. And what sort of chemicals or compounds did you
14 study for adverse effects on the nervous system?

15 A. We looked at a range of substances including metals
16 like lead and manganese and polycyclic aromatic hydrocarbons.

17 Q. Stop. I can understand lead. Poly-what?

18 A. Polycyclic aromatic hydrocarbons.

19 Q. All right. What is that?

20 A. That is a complex molecule made of several chemical
21 rings. Dioxin is a polycyclic aromatic hydrocarbon. We studied
22 carbon and PCBs.

23 Q. PCB stands for what?

24 A. Polychlorinated biphenyls.

1 Q. And dioxin is one of those kinds of chemicals as well?

2 A. Dioxin is one of that class of chemicals known as
3 PAH. We also studied the effects of some drugs and of sex
4 steroids on the brain and behavior.

5 Q. Sex steroids. By that you mean the pill and things
6 of that sort?

7 A. Components of the pill such as estrogen and
8 progesterone, yes.

9 Q. And, Doctor, following your service as head of the
10 section on neurotoxicology for the National Institute of
11 Health, did you receive another appointment following that?

12 A. Yes.

13 Q. And what appointment did you receive there?

14 A. That of the chief toxics scientist for the Environmental
15 Defense Fund and also guest scientist at NIH in the Reproductive
16 Toxicology Section.

17 Q. And when did you receive those two appointments?

18 A. In 1982.

19 Q. And are you presently serving in both of those
20 capacities as chief toxics scientist for the EDF and as a scientist
21 for the National Institute of Health?

22 A. I am.

23 Q. Doctor, what is the EDF? What is the Environmental
24 Defense Fund?

PENGAD CO., BAYONNE, N.J. 07002 FORM IL 248

1 A. It is a private non profit organization which is
2 devoted to protecting the human and natural environment.

3 Q. How does this organization go about that function?

4 A. We have a staff of about fifty professionals, lawyers,
5 scientists, engineers and economists. We engage in educational
6 activities and in working with congress and federal and state
7 agencies to increase the degree of protection of our environment.

8 Q. And have you in your capacity in that employment,
9 have you been called upon to testify and give evidence before
10 congress or, that is, committees of subcommittees of congress?

11 A. Many times.

12 Q. And when you say many times, could you give us an idea
13 of how many times you have testified for congressional committees?

14 A. Probably about eight times in the past two years.

15 Q. And, Doctor Silbergeld, in addition to this educational
16 work, does the Environmental Defense Fund engage in litigation
17 for purposes of enforcing the law?

18 A. It does.

19 Q. What kinds of litigation or what occasion for litigation
20 that the EDF gets involved in?

21 A. The primary types of litigation, the toxic chemicals
22 program gets involved in really--and relate to making sure that
23 the environmental laws which congress has passed like the super
24 funds law are adequately and forcibly utilized by agencies.

1 Sometimes parts of those agencies like the EPA don't really
2 vigorously enforce the law. For instance, make sure that a dump
3 site is cleaned up or establish consistent and clear standards
4 for air or water pollution and those are the kinds of issues
5 we would be involved in.

6 Q. Are the persons that function some of these agencies
7 or some of the persons surely not all of them but are some
8 of the persons that function these agencies, do they always
9 follow the law as our government gives it to them?

10 A. Unfortunately not.

11 MR. HEINEMAN: Your Honor, I am going to object to
12 this as going beyond the scope, a., of this lawsuit and, b.,
13 as to anything that relates to the lawsuit. I don't think it
14 has anything to do with what this lady is here to testify
15 about and I would object to it.

16 MR. CARR: Your Honor, it has to do with her
17 qualifications to testify on the subject that this lawsuit is
18 concerned about and I am not suggesting that the EDF is
19 involved in this lawsuit in any way and it is not for that
20 purpose.

21 THE COURT: Go ahead. Objection is overruled.

22 Q. (By Mr. Carr) Doctor, is it necessary on those
23 occasions that suits be filed in order to require those persons
24 to follow the law insofar as it affects toxic chemicals in our

1 environment that we all live in?

2 A. Sometimes it is.

3 Q. And are you--I know you are not a lawyer, but are
4 you involved as part of your duties as a toxicologist for this
5 Environmental Defense Fund, are you called upon from time to
6 time to advise those persons in the fund as to what is or is
7 not toxic, what may or may not be detrimental to the environment?

8 A. I am.

9 Q. And, Doctor, have you worked with, in the course of
10 your occupation, have you worked with the toxic substance that
11 we have described, that we know as 2,3,7,8 TCDD?

12 A. I have.

13 Q. And, Doctor, you have been now at this employment
14 for what? Are you in your second or third year now?

15 A. Starting my third year.

16 Q. And, Doctor, your work with the National Institute
17 of Health, in what capacity do you work for that agency?

18 A. I am a guest scientist, a research scientist in the
19 laboratory of reproductive toxicology in the institute, the
20 National Institute of Child Health and Human Development at NIH.

21 Q. And what does that mean? What, in fact, are you doing?

22 A. I am involved in basic research on the effects of
23 chemicals including dioxin on reproduction.

24 Q. By reproduction, you mean babies being born?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. Well, both the production of offspring in rodents and the biochemistry of the reproductive organs in these animals.

Q. As affected by toxic substances?

A. That is correct.

Q. Now, this National Institute of Health, that is a government agency?

A. It is.

Q. Do you serve on or with any other governmental agencies in addition to the National Institute of Health?

A. I do.

Q. What other agencies or agency do you serve with or on?

A. The EPA, the National Academy of Sciences. Those are the two.

Q. When you say the EPA, that is the Environmental Protection Agency?

A. Yes.

Q. What is your official capacity or connection with the EPA, Dr. Silbergeld?

A. My primary connection is as a member of the executive committee of the Science Advisory Board of the EPA. That is, the governing body which really passes on all the actions and policies of that agency in terms of their scientific worth.

Q. How long have you been on the--you say the executive board of the EPA?

PENGAD CO., BAYONNE, N.J. 07002 FORM IL 249

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. Yes.

Q. How long have you been on that board?

A. About seven months.

Q. And you pass upon--give that to me again. What is it you actually do?

A. This board passes upon all the actions and policies and documents produced by the EPA in terms of their scientific merits.

Q. All right. You wouldn't have anything to do, then, with actually going up and cleaning or enforcing the EPA laws? You pass upon their scientific output?

A. The value of those actions in terms of their scientific basis, yes.

Q. All right. How many members are on that board?

A. I believe at present there are nine.

Q. And what are their professions or their academic or their professional standing? Who are these people? What positions do they hold in public life?

A. They have a range. They come from disciplines of engineering, medicine, toxicology, environmental ecology and other sciences. They are academic professions. They are members of industry and distinguished private citizens.

Q. All right. Now, Dr. Silbergeld, the other governmental agency with whom you have an association or employment is the

1 National Academy of Sciences?

2 A. The National Academy of Sciences.

3 Q. What is the National Academy of Sciences?

4 A. That is an independent organization chartered by
5 congress and is the highest scientific body in the United States.

6 Q. And what is your function or position with the
7 National Academy of Science?

8 A. I have been appointed a member of its board on
9 toxicology.

10 Q. It has a specific board that deals with toxicology
11 and toxic substances?

12 A. That is right.

13 Q. And you have been on that board how long?

14 A. For about six months.

15 Q. Are these positions that you have, do you do these
16 things with the governmental agencies for pay or do you do them
17 for free, gratis?

18 A. I am reimbursed for time and expenses by the EPA for
19 service on the science advisory board and am reimbursed for
20 expenses by the National Academy of Sciences, but I do it really
21 because I have been nominated by the administrator of the EPA,
22 Mr. Ruckelshaus in one case and the president of the National
23 Academy of Sciences, Dr. Press, in another out of a sense of
24 obligation.

1 Q. Noe, Dr. Silbergeld, during the time you have been
2 engaged upon your career, have you had other professional
3 appointments in addition to those that you have described earlier?

4 A. Yes.

5 Q. What are these other professional appointments?
6 What do they consist of?

7 A. Various consultancies to government and other organizations.

8 Q. And what are these other agencies or organization of
9 which you have consulted or belonged?

10 A. The National Science Foundation, the Food and Drug
11 Administration.

12 Q. Stop one moment. The National Science Foundation,
13 what do you do with them?

14 A. I was a consultant to their program looking at the
15 potential hazards and toxic substances generated by new forms
16 of energy such as shale oil products.

17 Q. And how long ago were you involved with that program?

18 A. 1974 to 1975.

19 Q. And what did you do--what other appointments have
20 you had?

21 A. I have been a member of several national committees
22 looking at specific issues in toxicology.

23 Q. And what are these committees?

24 A. One of them was a committee drawn up by the Nutrition

1 Foundation, a private organization, to examine the role of
2 artificial food additives in producing behavioral problems in
3 children.

4 Q. And how long did you serve on this committee on food
5 additives?

6 A. Five years.

7 Q. And what did that committee actually do or, more
8 precisely, what did you do on that committee dealing with food
9 additives?

10 A. Two things. We looked at the issue which is sometimes
11 popularly known as the Feingold hypothesis.

12 Q. As what?

13 A. The Feingold hypothesis.

14 Q. Means nothing to me. Tell us what that means.

15 A. Dr. Ben Feingold was a California pediatrician and
16 allergist, recently deceased, who wrote a book called Why
17 Your Child is Hyperactive in which he proposed for the first
18 time, I think, that some of the artificial additives in our
19 food supply might well be provoking behavior disorders such as
20 hyperactivity and other learning disabilities in children.
21 The Nutrition Foundation and other groups being concerned with food
22 and the quality of the food supply looked into this hypothesis
23 very seriously. So one of our duties was to examine all the
24 evidence that Dr. Feingold and everybody else had on this subject.

1 and to write a report which we did in 1977, I believe. After
2 that the Nutrition Foundation collected some funds from
3 various other foundations and from the food industry and used
4 these to support independent research on this topic and I
5 served on the grants committee which reviewed the proposals
6 that came into the foundation for their merit on the subject.

7 Q. In other words, you passed upon whether or not a
8 particular group of scientists or a scientist should or should
9 not get funds to work on a particular topic dealing with food
10 additives and hyperactivity in children?

11 A. That is right.

12 Q. You passed upon the merits of their proposals?

13 A. That is right.

14 Q. All right. Did you do, yourself, any research in the
15 merits of food additives?

16 A. Yes, I did.

17 Q. All right. Now, for how long did you do research in
18 the field of food additives, Doctor?

19 A. I guess starting about 1978 and some of my former
20 colleagues in the neurotoxicology laboratory are still carrying
21 out research on this topic and I maintain active communication
22 and corroboration with them.

23 Q. Now, Doctor, what other groups did you serve with or
24 for?

1 A. I was a member of the Department of Health, Education
2 and Welfare, now the Department of Health and Human Services
3 Committee to Coordinate Toxicology and Related Programs.

4 Q. That is government, the Department of Health and
5 Welfare?

6 A. Yes.

7 Q. And was there a particular agency connected with the
8 Department of Health and Welfare with which you worked or
9 served?

10 A. The Food and Drug Administration which is part of
11 that had a major role in this committee as well.

12 Q. Now, the Food and Drug Administration, is that a govern-
13 mental agency that passed upon the purity or toxicity, if you
14 will, of various drugs manufactured by drug companies or the
15 pill and things of that sort?

16 A. That is right.

17 Q. I don't know why I got that pill on my mind today
18 but there must be some other drugs that you work and looked at?

19 A. All drugs sold in this country.

20 Q. And what was the committee or group upon which you
21 served at that time?

22 A. There is a special committee chartered by the section
23 of the Department of Health and Human Services which looks at
24 all the efforts related to toxicology which are going on within

1 the agency.

2 Q. He looks at--what do you mean?

3 A. Well, it varied. We pass on the overall research
4 program to make sure that important areas are being covered.
5 We devote a considerable amount of time to detailed oversight
6 of the studies being done which are still being done in
7 Michigan after the contamination with polybrominate biphenyls or
8 PBB or a wide range of activities and efforts related to
9 toxicology.

10 Q. And how long did you serve on that FDA committee?

11 A. For four years.

12 Q. And what next appointment or committee appointment did
13 you have there at that time, Doctor?

14 A. I was also appointed to the official U. S. Delegation to
15 the Soviet Union under the health agreement on environmental
16 health.

17 Q. As a representative of our country?

18 A. That is right.

19 Q. By whom were you appointed to be our representative?

20 A. I think by the Director of the National Toxicology
21 Program, Dr. David Rall.

22 Q. And what was the function--what was your function upon
23 the U. S. Delegation to this Joint U. S.-Russian committee?

24 A. Really to develop an idea of what the Russian scientists

1 were doing in the area of toxicology both human and experimental
2 and to recommend back to the American government those areas
3 which our government ought to encourage based on that information.
4 This involved going to the Soviet Union, meeting with Soviet
5 scientists and discussing issues of research with them in the
6 Soviet Union and in the United States when they came over to
7 the U. S.

8 Q. And did your group, did the U. S. Delegation make an
9 appropriate report to our government?

10 A. We did.

11 Q. How long did you serve on the U. S. Delegation?

12 A. About a year and a half.

13 Q. All right. And what other groups have you had a
14 professional appointment, Doctor, in addition to that?

15 A. I have been a member of two committees of professional
16 societies that I belong to. The Society for Neuroscience and
17 the American Society for Neurochemistry.

18 Q. And are both of these committees dealing with your
19 specialty, that is toxicology?

20 A. Not exclusively, although they are very concerned with
21 neurotoxicology because of its importance in social issues in
22 this country, yes.

23 Q. And what other professional appointments have you had,
24 Doctor?

1 A. I have been and still am a member of the Official U.S.
2 Delegation to the OECD, chemical safety program.

3 Q. What is the OECD?

4 A. That is the Organization for Economic Cooperation
5 and Development. That is the major multilateral trade agreement
6 which this country has with most of the other industrialized
7 nations of the free world.

8 Q. Now, you are again serving as a representative of our
9 country to some other--to some kind of international group?

10 A. That is right.

11 Q. And it deals with what? What does it deal with?

12 A. With chemical safety.

13 Q. First of all, why does this country belong to such
14 an international group to start with?

15 A. We establish this group after W. W. II.

16 Q. Why?

17 A. To promote and encourage international trade.

18 Q. Does this have a bearing upon toxic chemicals as well?

19 A. It does. One of the so-called non tariff barriers to
20 trade, that is an impediment to trade which doesn't involve
21 placing a tax or tariff by one country on another country's
22 products. One of these barriers relates to regulations about
23 chemical safety which various countries have imposed. The
24 OECD chemical safety group is an attempt to look at all those

1 regulations on chemical safety and toxicology and to harmonize
2 them so that countries within the OECD can trade their chemical
3 products easily and freely and reliably.

4 Q. And you have been our representative or one of our
5 representatives to this group for how long?

6 A. For two years.

7 Q. Have you also had a position with the State of
8 Maryland?

9 A. Yes. I was appointed by the governor of the State of
10 Maryland to the Hazardous Waste Task Force and the Hazardous
11 Waste Facility Siting Board.

12 Q. And what was the function of that task force?

13 A. To develop a state policy on handling of hazardous
14 waste.

15 Q. And, Doctor, what other appointments have you had?

16 A. Well, I have also served as an officer and do serve
17 as an officer for the Society of Occupational and Environmental
18 Health which is a professional society.

19 Q. That is not a government agency, that is an organization
20 consisting of professionals who work in that field?

21 A. That is right.

22 Q. How long have you held that position?

23 A. For approximately one year.

24 Q. Are there any other professional appointments that

1 you have had that you haven't mentioned?

2 A. I serve on the editorial board of a number of scientific
3 journals.

4 Q. What scientific journals are you on the boards of?

5 A. Environmental Research, the American Journal of
6 Industrial Medicine, Neurobehavioral Toxicology, Neurotoxicology
7 and the Journal of Hazardous Waste.

8 Q. The American Journal of Industrial Medicine, is that
9 recognized as an authoritative publication in the field of
10 industrial medicine?

11 A. I believe it is.

12 Q. What do you do on the editorial board of that publication?

13 A. I review manuscripts which are submitted for publication
14 in the journal. I arrange for the special publication of
15 symposia or meeting proceedings when these are appropriate and
16 also meet with the other editors to discuss editorial policy.

17 Q. Does the publication Industrial Medicine, is it
18 distributed to American industry by and large including the
19 chemical industry?

20 A. I believe members of the American Chemical Industry
21 are on the editorial board of that journal.

22 Q. Your Honor, this is an appropriate place to stop.

23 THE COURT: Okay. We will take a break for lunch at
24 this point in time. I would appreciate if you would be back in

1 the courtroom at 1:30 and we will resume testimony.

2 The admonishments I gave you before apply. The
3 Court is in recess for lunch.

4 COURT RECESSED:

5 (The following proceedings were had in chambers out
6 of the presence and hearing of the jury.)

7 MR. CARR: It has come to our attention that Monsanto
8 is continuing to take evidence deposition in this case. We
9 are not receiving notice of these depositions. They have put
10 in the record that by stipulation of all counsel that the
11 plaintiffs have waived their right to attend this deposition
12 and I am not going to accuse Monsanto of doing something
13 irregular at this time because it may be that they have the
14 view or opinion that we have entered into such a stipulation.
15 We indeed had agreed for certain taking of records earlier in
16 the case and prior to the time testimony was started that
17 those depositions that simply dealt with custody of the
18 records, that we would not attend, nevertheless we wanted notice,
19 but---

20 THE COURT: I am sorry, I didn't hear that.

21 MR. CARR: Nevertheless we wanted notice and so I am
22 not going to suggest any irregularity on their part but I would,
23 and I thought I made it clear a month or so ago that we did not
24 agree to the taking of any evidence depositions except by

1 permission of Court that it had to come up with the Court and
2 the Court had to agree that you had the right to take such
3 an evidence deposition and I now would like to put on the
4 record and make it clear that the plaintiff objects to the taking
5 of any evidence or discovery depositions for that matter in this
6 case while the testimony is going on and that no further
7 depositions be taken without notice to counsel and without
8 presentation to the Court the necessity for the taking of such
9 evidence deposition and that I acknowledge and I agree that
10 there may be occasions when evidence depositions may have to be
11 taken under the circumstances of this trial, but I want to know
12 about it and I want the Court to set the time and the place,
13 if we deem it necessary to do it, and we can't do it by agreement
14 and we want copies immediately of all the records that have
15 been heretofore supplied to Monsanto in the course of these
16 evidence depositions that have been taken.

17 THE COURT: Which of you want to respond?

18 MR. HEINEMAN: Well, I will be glad to respond, Judge.
19 I don't even know what he is talking about.

20 THE COURT: That is a good response.

21 MR. CARR: These were taken on the 19th of March and the
22 31st of March. Custodian of the hospital medical records for
23 one and a trucking company employment record on Darrell Arp
24 for another.

1 MR. HEINEMAN: For the record, the depositions that you
2 are talking about are an evidence deposition of Hazel Dorenhelth
3 on March 31, 1984, and a deposition of Ruth Duncan on March
4 19, 1984, is that right?

5 MR. CARR: No. I am talking about those--those are
6 the two that have occurred that has been brought to my attention
7 but I have also--was told orally a few days ago and I had forgotten
8 it until these came in that a deposition of a Gary Smith had
9 been set for last Saturday unbeknownst to me and that
10 fortunately for us it was cancelled. Somebody couldn't show
11 up and that it has been rescheduled for sometime in June without
12 notice to us and certainly without our agreement or knowledge.
13 So that is one thing I know that has occurred but these two
14 depositions have just come to my attention today and it is
15 those things that have directed my attention to this on-going
16 situation.

17 MR. HEINEMAN: You mentioned something earlier that
18 I am not familiar with either and that has something to do with
19 on behalf of the plaintiffs you had had some prior agreement
20 with respect to these depositions going on without your presence?

21 MR. CARR: We had told them prior to the time of trial
22 that if the depositions that they were taking were custodial
23 records depositions only, that we would not--that we were not
24 going to attend those depositions but we wanted a copy of the

1 notice and we obviously--because we may decide to attend one or the
2 other of those. We wanted to have notice of all depositions
3 and we obviously wanted copies of all the records as soon as
4 Monsanto had those.

5 Now, that agreement was in force up until the time
6 this trial started but I thought--well, I know I did not think,
7 I know that we brought up the matter of evidence depositions
8 on the record here a month or so ago and it was my clear
9 understanding that no more evidence depositions would be taken
10 without permission of Court and these two were taken and we
11 received no notice of these and the only time we have known about
12 it is now that it is after the fact and, in addition, this
13 Gary Smith deposition that was apparently to be taken last
14 Saturday of which I had no notice and now is apparently by oral,
15 at least my secretary has been told it is now scheduled for
16 some time in June. Again, we believe that is taken contrary to
17 the understanding in this case that no more evidence depositions
18 were to be taken without the permission of the Court.

19 MR. HEINEMAN: And my understanding is now you object
20 to these two depositions?

21 MR. CARR: No, I am not objecting to those two
22 depositions. I have--I am not accusing Monsanto of deliberately
23 violating the understanding or the order of the Court. All I
24 want is that we get immediately copies of those records.

1 MR. HEINEMAN: Well, Gary Smith has not taken place
2 yet, as I understand it.

3 MR. CARR: That is correct.

4 MR. HEINEMAN: When is it set down?

5 MR. CARR: I have not received notice. Maggie said
6 that they are going to reschedule it for some time in June but
7 the danger in that---

8 MR. HEINEMAN: I think I got a phone call from Maggie
9 about the Gary Smith one.

10 MR. CARR: The danger in all of that is that we are
11 not getting notices. Now, if we were receiving notices and
12 I believe that you were taking evidence depositions contrary to
13 what the Court's order has been, we could immediately bring it
14 to the attention of the Court but these two depositions to
15 the best of my knowledge that you have in your hands, we received
16 no notice of it and we received no notice of this Gary Smith
17 deposition. The last word I had from Gary Smith is that you
18 all were going to bring him in live and we were not going to
19 take his evidence deposition.

20 MR. HEINEMAN: Who did you learn that from?

21 MR. CARR: I wouldn't know. Somebody. Maybe Wally
22 Theiss, maybe Jane. Who knows.

23 MR. HEINEMAN: But there was a conversation with you
24 or with my secretary?

1 MR. CARR: I can't even swear to that, to tell you
2 the truth. I do know there was a Gary Smith deposition scheduled
3 some time in January or December prior to the time we started
4 trial. I do know that we were geared up to attend that
5 deposition and that we received notice that it was cancelled
6 and I thought that it was stated that they were going to bring
7 him in live and that is the reason it was cancelled, but that
8 is neither here nor there. That is not important.

9 THE COURT: Okay. Do you gentlemen have anything to
10 say or are you just watching?

11 MR. A. SCHOENBECK: We have nothing to say, Judge,
12 on it.

13 THE COURT: Well, it was my understanding that we had
14 agreed after the Supreme Court stayed the proceedings, that
15 there was going to be discovery going on up to the time the
16 jury selection started and then after that it would be by leave
17 and if there is anything that has to be taken, it can be by
18 agreement, just let me know and that is no problem, but my
19 understanding was that once the trial actually started, that
20 any of these depositions would be taken by anyone by leave of
21 court. That this specifically allowed taking depositions and
22 other discovery until the jury selection started, but that has
23 been a couple months already. If there is a reason to take an
24 evidence deposition, we can have one taken but I think once a

1 trial starts, I think it is appropriate that it be done by
2 leave and full notice and everything.

3 MR. HEINEMAN: Now, let us assume, for example, that
4 there is a notice of one sent and there is no objection.

5 THE COURT: Fine.

6 MR. HEINEMAN: Do you have any objection to it
7 going forward?

8 MR. CARR: I don't want it to be that way. I want it
9 to be brought to the attention of the Court here.

10 THE COURT: There has to be notice and it has to be by
11 leave. If no one objects, it is a form leave order. We can
12 draw one up in a minute.

13 MR. CARR: When I am involved in a trial of this
14 case, now you come and go and maybe you check your desk, but
15 I am involved in the presentation of evidence, of corraling
16 my witnesses and getting them going and going over depositions
17 and I don't pay close attention to my mail. I do pay close
18 attention to what is going on in the courthouse. So, indeed,
19 send a notice but I don't want any evidence depositions or
20 discovery depositions, for that matter, to be taken without
21 bringing it to the attention of the Court and getting on the
22 record that we either agree to it or the Court over our objection
23 orders that it be done if we object to it. My concept is that
24 we are in trial and anything that goes on in this case from this

1 point on either is by agreement of counsel or by permission of
2 the Court.

3 THE COURT: Even if counsel agreed and not just on
4 notice, it should be by order of Court. It should be by leave
5 of Court and there is no problem if there is an agreement just
6 entering a docket order. It will take a minute to draw it up
7 but once we have actually started in trial, I think it should be
8 by leave of Court.

9 MR. HEINEMAN: Okay.

10 THE COURT: If there is an agreement, we can just
11 draw up a docket order. That is no problem. It will literally
12 take a minute and if there is a problem, then we can have one
13 of these discussions.

14 MR. HEINEMAN: But my understanding is--I just want
15 to be clear--on the depositions of Ruth Duncan and Hazel
16 Dorenheith, you don't have any objection to those being used;
17 it's just that you want the documents from them?

18 MR. CARR: I have no objection because I believe
19 Monsanto took the depositions in good faith. I suspect that
20 whatever we said here in the courthouse probably was not
21 communicated to your people and there is no point in going
22 through the machinery to get these depositions retaken.

23 What I am asking is that we do get immediately copies
24 of those records.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MR. HEINEMAN: Okay.

MR. CARR: There may be something wrong with it and I might want to object to it and I want them early so if I am going to object to it, you will have adequate time to reschedule the depositions under the order of Court. If I do have an objection to it. I don't know what documents are given. I don't have the vaguest idea of what they say.

MR. HEINEMAN: Neither do I.

THE COURT: Okay. Any problem?

MR. HEINEMAN: No.

THE COURT: Fine.

(The following proceedings were had in the presence and hearing of the jury.)

THE COURT: Mr. Carr, you may proceed.

ELLEN SILBERGELD

having resumed the witness stand, being previously sworn, testified further as follows:

DIRECT EXAMINATION (Continued)

By

MR. REX CARR

Q. Dr. Silbergeld, we were at the noon recess engaged in discussing your various professional accomplishments. In addition to the ones that you have mentioned, have you also been appointed to a panel dealing with the--for the State of New York with something happening in a state office building?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

A. Yes.

Q. What was that?

A. I am on the Governors Blue Ribbon Panel on the Binghamton State Office Building.

Q. What is the Binghamton State Office Building?

A. It is an office building owned by the State of New York where a PCB and chlorobenzene transformer was involved in a fire which lead to the wide-spread release of dioxinx, porphyrins and other chemicals in this building which remains closed to this day.

Q. When did that occur?

A. The fire occurred in 1981.

Q. And that building is yet closed?

A. It is still closed.

Q. And what was your function or what is your function on this panel in relation to the explosion in that building or that fire in that building?

A. The scientific panel provides advice to the state government including the Department of Health on the various remedial measures which have been taken in that building, the guidelines which the State Department of Health has developed with respect to acceptable exposures of people either involved in clean-up or office workers to the chemical which might remain in the building and, more generally, on the toxicology of those

1 chemicals.

2 Q. And with regard to that clean-up, say the building has
3 been closed since 1981?

4 A. That is right.

5 Q. And does that mean that the building has not yet been
6 cleaned to a level that would make it safe for humans to work
7 there?

8 A. It is the opinion of the panel that the building
9 cannot be safely reopened at the present time.

10 Q. All right. And, Doctor, have you served or are you
11 a member of some other New York State panel?

12 A. I am also a member of the Joint New York State Centers
13 for Disease Control panel looking at Love Canal.

14 Q. And what is Love Canal, Doctor?

15 A. Love Canal is a community in the town of Niagara Falls,
16 New York, where there was a very famous and serious episode of
17 chemicals escaping from an abandoned chemical waste dump and
18 into the community.

19 Q. And what is the function of this panel you serve?

20 A. This panel is re-examining the question of whether or
21 not the Love Canal area can be reopened for human beings to live
22 in it.

23 Q. And what other persons or kinds of professional
24 persons, if there are indeed professionals, that serve on this

1 panel along with you?

2 A. Other toxicologists, medical scientists, analytic
3 chemists from the Federal government, State government and
4 private sector.

5 Q. And is this a continuing on-going study as to the
6 effects of those chemical wastes upon the inhabitants of the
7 Love Canal area?

8 A. That is part of the oversight of this committee, yes.

9 Q. Anything else in that regard?

10 A. Charge to the committee is to make a recommendation to
11 CDC in Atlanta and to the New York State Government about the
12 advisability of allowing people to reoccupy the houses in Love
13 Canal.

14 Q. When you say CDC, what does that mean?

15 A. CDC is the Centers for Disease Control which is part
16 of the U. S. Public Health Service, a federal agency, located
17 in Atlanta and among other things, investigates outbreaks of
18 disease including environmentally-caused disease in the United
19 States.

20 Q. All right. In addition to that panel, do you serve on
21 some other environmental groups?

22 A. As part of my other activities, I have been involved in
23 a number of such consultancies and activities, yes.

24 Q. Do you deal with a group dealing with agricultural

1 chemicals?

2 A. Yes. I am part of a national group called the
3 Agricultural Chemical Discussion Group which is a joint
4 industry-environmentalist group seeking to reduce the misuse
5 of pesticides and other chemicals in agriculture.

6 Q. When you say joint industry and environmentalist group,
7 do you mean by that members of the chemical industry hold
8 membership on this committee?

9 A. Yes, they do.

10 Q. Do you know whether or not Monsanto has a representative
11 there?

12 A. Through the National Association, the National
13 Agricultural Chemical Association, they are represented, yes.
14 I don't think we have had a specific member from Monsanto yet.

15 Q. Now, Doctor, I have noted in your employment and your
16 other activities that there is a gap in '81 or '82 in your
17 professional activities. Could you account for that, please?

18 A. Yes. In 1981 in June, I gave birth to a daughter and
19 I took time off to stay at home with her.

20 Q. You live in Washington, D.C. with your husband and
21 child?

22 A. No, I live in Baltimore.

23 Q. Is that where your husband and child is, in Baltimore?

24 A. Yes, I hope so.

1 Q. You live with them?

2 A. Yes.

3 Q. And you engage in your professional career as well as
4 serve as a homemaker and mother and wife, is that correct?

5 A. Right.

6 Q. Now, Dr. Silbergeld, in the course of acquiring the
7 qualifications that you have acquired to practice your profession,
8 have you been invited to and have you in fact joined various
9 professional societies dealing with the areas in which you hold
10 expertise?

11 A. I have joined such associations, yes.

12 Q. And could you name those associates for us, please.

13 A. They are the American Public Health Association---

14 Q. What is the American Public Health? Is that a
15 government agency or private agency? What is the American
16 Public Health Association?

17 A. All of these are professional societies. They are
18 private entities to which one is admitted after demonstration
19 of appropriate credentials.

20 Q. All right. And what does the American Public Health
21 Association deal with or in what is it involved?

22 A. It is the leading society for all professionals
23 concerned with various aspects of public health, ranging from
24 medicine through research.

1 Q. How long have you been a member of the American
2 Public Health Association?

3 A. About a year and a half.

4 Q. What other associations in which you hold membership?

5 A. The Society for Occupational and Environmental Health.

6 Q. What is that group?

7 A. That is also a private professional society which
8 was established by academic and industry scientists back
9 in 1973. I am one of the founding members of that society.

10 Q. These associations, is it fair to say that a broad
11 spectrum of professionals belong to these groups? That is,
12 professionals that are employed by industry, professionals that
13 are employed by colleges, universities, medical schools,
14 professionals that are employed by the government? Is it a fair
15 statement that all professionals that deal in these particular
16 areas may join if they are qualified sufficiently?

17 A. Absolutely. There are no barriers to membership.

18 Q. Just what does the Society for Occupational and
19 Environmental Health do? What does this association do?

20 A. Aside from providing an arena for professionals with
21 similar interests to meet and know each other, the society
22 promotes generally the fields of occupational and environmental
23 health and holds symposia and other meetings and has published
24 monographs based on these meetings.

1 Q. And what other associations in which you hold
2 membership?

3 A. The Society for Neuroscience.

4 Q. Now, what is the Society for Neuroscience?

5 A. It is a professional organization made up primarily
6 of biological scientists and M.D.s whose area of scientific
7 interest is the nervous system. It includes neurotoxicologists
8 as well as neuologists, psychiatrists, neurobiologists, a range
9 of scientists.

10 Q. All right. Then, it is not just dealing with how
11 toxic substances affect the nervous system, but it indeed is
12 the entire science of neurology, the nervous system?

13 A. That is right.

14 Q. All right. And what other associations do you have
15 membership in?

16 A. The Association of Women in Science.

17 Q. And is that self descriptive, that is, women who
18 are professionals in scientific careers hold membership?

19 A. Also men, too.

20 Q. Men, too? How did that come about? You have it titled
21 the Association of Women. You have some kind of second class
22 membership for the men, do you?

23 A. No. As a matter of fact, some of our founding
24 members were men who were interested in promoting and advancing

1 the careers of women in science.

2 Q. All right. Then, what else do you hold membership
3 in, Doctor?

4 A. The American Association for the Advancement of Science.

5 Q. And is that title again descriptive of what this
6 association does?

7 A. It is probably the largest single professional
8 organization of scientists in the U. S. and covers all areas
9 of basic and clinical sciences.

10 Q. How long have you held membership in that organization?

11 A. Oh, I think, about ten years.

12 Q. Do you have to meet certain--in any of these
13 organizations or all of these organizations, do you have to
14 meet certain standards of professional competence before you
15 are invited or allowed to join?

16 A. Yes. All of them have some requirements for membership.

17 Q. All right. And the next association in which you hold
18 membership?

19 A. The International Brain Research Organization is an
20 international professional society to which one is invited to
21 apply. One cannot even apply to this society. Then you are
22 elected to membership.

23 Q. And when were you invited to become a member?

24 A. I think about three years ago.

1 Q. We will get to it in a moment. Did you do research
2 necessary to qualify you for membership in this organization?

3 A. Yes, I did.

4 Q. And the next organization which you hold membership?

5 A. The American Society for Neurochemistry.

6 Q. And what is that?

7 A. That is a professional society of primarily biological
8 scientists but also clinicians who are particularly concerned
9 with the biochemistry of the nervous system.

10 Q. Now, you made a differentiation between biological
11 scientists and clinicians. Would you explain the difference
12 between those two groups or persons?

13 A. The only difference I have in mind is really as to
14 whether the person holds a Ph.D. degree or an M.D. degree. Their
15 research and their interests may be identical.

16 Q. When you say clinician, then, are you saying a medical
17 doctor or a doctor that holds an M.D. degree?

18 A. I am.

19 Q. And when you say--what was bioscience or what did you do?

20 A. Biological scientists.

21 Q. Biological scientists would be a person involved in
22 research that holds a Ph.D.?

23 A. Right, though I don't mean to imply that M.D.s do not
24 conduct biological research.

1 Q. All right. And is this membership in this society
2 limited to those professionals who do in fact do research into
3 the chemistry of the nervous system?

4 A. That is right. One must demonstrate a record of
5 conducting independent significant research in the brain, nervous
6 system chemistry in order to be admitted to this society.

7 Q. And what other societies do you hold membership in,
8 Dr. Silbergeld?

9 A. The American Society for Pharmacology and Experimental
10 Therapeutics.

11 Q. And what is pharmacology?

12 A. Pharmacology is the study of drugs and other substances
13 on biological systems.

14 Q. And what is experimental therapeutics?

15 A. Experimental therapeutics is that branch of pharmacology
16 which deals with the development of drugs and other agents
17 which may be helpful in treating human disease.

18 Q. And what is the foundation or what is the requirement
19 to become a member in that society?

20 A. Again it is rather stringent in that one must demonstrate
21 performance of independent significant research in these areas
22 and have that demonstration supported by two people who are
23 already members of this society.

24 Q. All right. Now, Dr. Silbergeld, in addition to the

1 society memberships that you held and the other activities
2 that you have mentioned, have you been engaged in a number of
3 other activities that parallels your professional work or that
4 is involved in the exercise of your professional abilities?

5 A. I have.

6 Q. And what are these activities in which you have been
7 engaged?

8 A. There are a wide range from participating in special
9 conferences by invitation held by the National Institute of
10 Health to---

11 Q. Do you hold or do you presently hold a position in
12 the Society for Occupational and Environmental Health?

13 A. I do. I was elected secretary-treasurer last year of
14 that society.

15 Q. Now, you have already mentioned what the society
16 is and what it does and you hold a position of secretary-
17 treasurer in that society?

18 A. That is right.

19 Q. And is this--were you elected to that position by
20 your peers or by the other professionals who belong to that
21 society?

22 A. That is right.

23 Q. Doctor, do you also serve--I think you had mentioned
24 you serve on the editorial board of the American Journal of

1 Industrial Medicine. Do you serve on the editorial boards
2 of other publications?

3 A. Yes, I do.

4 Q. And they are what?

5 A. Neurobehavioral Toxicology, Neurotoxicology,
6 Environmental Research and the Journal of Hazardous Waste.

7 Q. Now, these various journals that you mentioned, are
8 they published for the purpose of disseminating information to
9 other professionals that deal with or work in the area of
10 neurobehavioral toxicology, neurotoxicology and environmental
11 research and hazardous waste?

12 A. To a large extent, yes.

13 Q. When you say you are on the editorial boards of these
14 publications, what is your function? What do you do being on
15 the editorial board?

16 A. Well, I review papers which are submitted by my
17 peers for publication in these journals. These are what are
18 called peer review journals. Nothing is published without very
19 serious review.

20 Q. By peer, you mean other recognized competent professionals
21 in the particular field that is being published?

22 A. That is right.

23 Q. All right.

24 A. And I will propose the publication of special issues.

PENGAR CO., BAYONNE, N.J. 07002 FORM IL 219

1 For example, around the papers presented at a specific meeting
2 or even to solicit certain papers from my colleagues which I
3 feel are doing interesting research which should be published
4 and also meet with the other members of the editorial board to
5 talk about editorial policy.

6 Q. Dr. Silbergeld, have you participated in various
7 conferences sponsored by the National Institute of Health dealing
8 with your professional expertise?

9 A. Yes, I have.

10 Q. What kind of conferences are these? What is the purpose
11 of them?

12 A. Well, there is a very special type known as a
13 consensus conference.

14 Q. What does that mean?

15 A. That is a very interesting experiment by NIH to try
16 and resolve important issues in medicine primarily by bringing
17 together international experts on a subject to present all the
18 most up-to-date information and attempt to generate a
19 recommendation for primarily for clinicians practicing in that
20 area of medicine. These recommendations are usually published
21 in the journal of the American Medical Association.

22 Q. Is it then what you are doing is trying to arrive at
23 agreed solutions to various health problems?

24 A. Trying to determine if there is an area of consensus

1 or agreement which might guide clinical medicine. For example,
2 I served as an expert and gave a paper by invitation as an
3 expert at an NIH consensus conference on the use of anticonvulsant
4 drugs by pregnant women. There are concerns, of course, that
5 the unborn child might be affected by these drugs and also
6 concerns that the mother who has the disease of epilepsy or other
7 convulsant disorder does need medical management during pregnancy
8 so this is the kind of issue that a consensus conference attempts
9 to come to grip with.

10 Q. All right. Now, have you also served in a very special
11 role for the U. S. Secret Service?

12 A. Yes, I have.

13 Q. Is the U. S. Secret Service that agency which is
14 responsible for protecting the life and safety of various
15 government officials including the President and presently
16 those persons who are leading candidates for the Presidential
17 nominations?

18 A. Yes, it is.

19 Q. What did you do for the Secret Service?

20 A. I was asked by the Secret Service to be their expert
21 consultant with respect to problems secret service agents were
22 having with lead poisoning.

23 Q. And by lead poisoning, you don't mean the shooting of
24 bullets into the persons of these agents, do you?

1 A. No. This was not---

2 Q. What do you mean?

3 A. It was not acute lead poisoning of that type but,
4 rather, this was the problem that secret service agents were
5 having in the course of their own work. Every secret service
6 agent must spend one day a week at a firing range practicing
7 his or her marksmanship and a lot of that practice takes place
8 in an enclosed firing range. This is the problem police
9 departments have had, too, but the secret service discovered
10 that many of their men were actually experiencing dangerous
11 levels of lead exposure as a result of this and so I was called
12 in to advise them on the proper management of the agents and
13 hygienic measures which might be taken in the firing range to
14 reduce the problem.

15 Q. What did you discover the nature of the problem to be?

16 A. Well, the problem was caused by the very large volume
17 of bullets that were being fired in this in-door range which
18 generated lead as a dust as the bullet went down the barrel of
19 the pistol and also when the bullet impacted on the walls at the
20 end of the range or the target. There was also a generation
21 of lead fumes from some of the chemicals used in the firing
22 powder in the bullets and I did find from looking at the medical
23 records that there was indeed a cyclicity of lead exposure in
24 these men and at times in some people it reached quite dangerous

1 levels.

2 Q. How much lead was actually being generated by this
3 firing process?

4 A. Hard to say but there were visible amounts of lead
5 generated and so much lead that it was apparently economically
6 worthwhile for a salvage company to come in from Baltimore,
7 Maryland, and sweep down the range twice a month.

8 Q. For what purpose, to get the lead off?

9 A. To reclaim the lead and sell it.

10 Q. You are not talking about the bullets themselves, but
11 the dust that came out of the muzzle of the gun?

12 A. That is right. There is the dust that was formed by
13 those processes of impact and rifling down the barrel of the
14 pistol.

15 Q. All right. Now, Doctor, in addition to that, have you
16 served on other groups dealing with lead poisoning?

17 A. Yes. I was called to be the chair of a special committee
18 convened by the Society for Occupational and Environmental
19 Health to look at medical issues raised in El Paso by an episode
20 of lead poisoning from a smelter. There had been reports from
21 doctors whose work was sponsored by the smelter and also from
22 doctors from the U. S. Public Health Service on this group of
23 children and the findings were quite different and the purpose of
24 this committee was to try and figure out the source of difference

1 and who was right in the situation.

2 Q. Doctor, have you also worked on pharmacology and
3 toxicology research associateship program?

4 A. Yes. I was selected at NIH to be one of the sponsors
5 and preceptors, that is advisors to post-doctoral fellows who
6 came to NIH under this pharmacology-toxicology research program.

7 Q. And what period of time was that, Doctor?

8 A. From 1977 through 1981.

9 Q. Have you also served in various--in a particular
10 capacity for the University of Pittsburgh and also for the
11 University of Toronoto?

12 A. Yes. I have served on graduate boards examining Ph.D.
13 candidates.

14 Q. In your field? That is, in the area of toxicology?

15 A. In toxicology.

16 Q. All right. Have you also lectured, been invited to
17 give lectures at the medical school or Johns Hopkins Medical
18 School?

19 A. Yes, I have.

20 Q. What do you lecture on? Are these for medical students
21 that are going to become doctors?

22 A. No. These are for doctors who are achieving continuing
23 medical education credits by attending special courses in
24 environmental medicine or toxicology.

1 Q. And do you lecture, then, in your field of professional
2 expertise?

3 A. I do.

4 Q. Doctor, have you also held elected positions--strike
5 that. Have you also served as a lecturer for NIOSH?

6 A. Yes, I have.

7 Q. In what field?

8 A. Similarly, in courses for continuing medical education
9 in occupational medicine.

10 Q. What is NIOSH?

11 A. That is the National Institute of Occupational
12 Safety and Health which is a government agency.

13 Q. All right. And on how many occasions have you
14 delivered lectures for the benefit of NIOSH?

15 A. I think a couple of times.

16 Q. And, Doctor, do you also or have you held an elected
17 position with the Assembly of Science?

18 A. Yes.

19 Q. What was the position you held?

20 A. I was a councillor of the Assembly of Scientists for
21 the National Institute of Mental Health and the National
22 Institute of Neurological and Communicative Disorders and Stroke.
23 That is really the neuroscientists at NIH and I was elected by
24 my peers in those fields from those institutes.

1 Q. And have you also been a representative for that group
2 on the EEO Committee?

3 A. No, that was a separate position. I was elected by
4 all the staff members of the Neurology Institute to that
5 institute's equal employment opportunity committee by federal law.
6 Every bureau or organization in the government has to have such
7 a committee and the members of it are selected by the staff.

8 Q. All right. And you were selected by the staff and so
9 served?

10 A. I did.

11 Q. For what period of time?

12 A. From 1977 to 1980.

13 Q. And have you also served in the capacity of selecting
14 for NIH a lectureship commission?

15 A. Yes. I was on a committee which selected the person
16 to receive the honor of what is called the Solowey Lectureship
17 which is an endowed lectureship at NIH in the area of neurosciences.

18 Q. Have you also served as a consultant to the Oil,
19 Chemical and Atomic Workers Union of the AFL-CIO? What was that
20 Doctor?

21 A. When I was in graduate school in 1970 I did serve as
22 a consultant to that union on general issues of toxicology.

23 Q. Is that the union that works in the chemical industry
24 among others?

1 A. It is one of the unions, yes.

2 Q. And have you also served for the EPA in reviewing
3 documents in which they set up criteria in certain fields?

4 A. Yes. I have been an expert reviewing for a number of
5 documents for EPA.

6 Q. Now, what does the EPA have to do? Why does it
7 create these documents or what are these documents? Could you
8 explain that, please.

9 A. These documents are really the basis for various
10 standards or actions that the EPA takes. For instance, if the
11 EPA wants to propose that there should be only one part per
12 billion of lead in the area under the Clean Air Act, it must
13 first draw up a compendium of all the scientific and medical
14 information on the subject of lead, its distribution, its
15 chemistry, its fat, its absorption, kinetics and effects on
16 humans, and the natural environment and have that document
17 reviewed and accepted and on the basis of that document, then
18 propose a standard.

19 Q. Now, have you reviewed these documents dealing with
20 metals and such as lead, manganese and mercury?

21 A. I have.

22 Q. In addition to those metals, have you also reviewed
23 the criteria and documents dealing with dioxin?

24 A. Yes, I have.

1 Q. When did you first become a reviewer of these documents
2 dealing with dioxin, Dr. Silbergeld?

3 A. May or June of last year when the documents were
4 completed in draft review form by the agency.

5 Q. And do you continue to serve in that capacity for the
6 EPA?

7 A. I do, and I am also the reviewer of those documents
8 for the science advisory board which I am a member of because
9 of my special expertise in that subject.

10 Q. Now, the science advisory board, have I asked you
11 about that? What is the science advisory board for the EPA?

12 A. The EPA.

13 Q. The EPA?

14 A. That is right.

15 Q. Do you also serve or have you also served for NIOSH
16 dealing with occupational safety and health?

17 A. Yes, I have.

18 Q. In what capacity?

19 A. I have been a member of the committee for NIOSH which
20 passes on grant proposals which come in to study various aspects
21 of occupational safety and health. My particular expertise is
22 toxicology.

23 Q. And that toxicology, does it also deal with toxic
24 substances such as dioxin, TCDD?

1 A. Yes, it does.

2 Q. Dr. Silbergeld, and you also served on committees,
3 a committee dealing with the Frontiers of Neuroscience?

4 A. Yes. I was asked.

5 Q. Who sponsored that committee?

6 A. The National Institute of Mental Health which is
7 part of NIH asked me to be part of a small group of neuroscientists
8 to help them develop a strategy for supporting the most important
9 new areas of neuroscience. They asked me because NIH considers
10 that neurotoxicology is one of those important new areas and
11 I was selected as the national expert to report on that for
12 them.

13 Q. All right. And do you still serve in that capacity?

14 A. I believe that committee is still in operation. We
15 haven't made a final report.

16 Q. Now, Doctor, during the course of your professional
17 career and for that matter prior to the time you became fully
18 qualified, have you had occasion to receive various honors and
19 awards dealing with your achievements in the academic world
20 and thereafter?

21 A. Yes, I have.

22 Q. What was the first award you received in the academic
23 world and when was it?

24 A. I guess I was selected a national merit scholar when

1 I was in high school in 1963.

2 Q. And did that start you on your career in science and
3 in this particular area?

4 A. No. That was general recognition for academic achievement
5 in high school.

6 Q. All right. Did you receive a scholarship allowing you
7 to attend college on that scholarship?

8 A. I received a scholarship from Vassar College which
9 allowed me to attend that college, yes.

10 Q. When did you get that scholarship?

11 A. From 1963 through 1965.

12 Q. And what did you have to do to be awarded that
13 scholarship?

14 A. I had to maintain a high grade point average and carry
15 a certain number of courses each semester.

16 Q. And did you in fact achieve that grade point average
17 sufficiently so that you would graduate summa cum laude?

18 A. Yes. I graduated fourth in my class.

19 Q. And was that with the honors called summa cum laude?

20 A. Yes, it was.

21 Q. And were you also elected because of your academic
22 achievements grade-wise to the honorary society Phi Beta Kappa?

23 A. Yes, I was.

24 Q. Have you also received a fellowship with the Woodrow

1 Wilson National Foundation?

2 A. Yes, I did.

3 Q. Have you also received a Leverhulme and Fulbright Fellow-
4 ship to the University of London in 1967?

5 A. Yes, I did.

6 Q. Did you receive a National Science Foundation Graduate
7 Traineeship in '68 to '72?

8 A. Yes, I did.

9 Q. Did you also receive a research fellowship from the
10 Public Health Service to the Woods Hole Group?

11 A. Yes.

12 Q. Did you also receive from the Rockefeller Foundation
13 a predoctoral research fellowship?

14 A. Yes, I did.

15 Q. And have you received the National Institute of
16 Health postdoctoral fellowship in environmental health sciences?

17 A. Yes.

18 Q. The Joseph P. Kennedy fellowship in neuroscience?

19 A. Yes.

20 Q. The Pharmaceutical Manufacturers Association Research
21 Starter award in 1974 and '75?

22 A. Yes.

23 Q. Have you also been an exchange fellow to Yugoslavia as
24 appointed by the National Academy of Sciences?

1 A. Yes, I was.

2 Q. Have you served as a lecturer at the University of
3 Illinois as recently as 1984?

4 A. Yes. I was the lecturer in environmental sciences.

5 Q. At Champaign-Urbana or Chicago?

6 A. Champaign-Urbana.

7 Q. Have you also served as distinguished lecturer for
8 the medical school in 1984?

9 A. Yes.

10 Q. And have you received Presidential commendation
11 for the work that you have done, Dr. Silbergeld?

12 A. Yes, I have.

13 Q. When did you receive this Presidential commendation?

14 A. A couple of weeks ago.

15 Q. And congratulations, Doctor. Now, Doctor, in the
16 course of the academic life that you have undertaken and
17 thereafter in your profession, have you done original research
18 in pharmacology, toxicology, drugs, neurochemistry, neurotoxic
19 effects of various wastes and toxic materials and in that field
20 have you done such research as allowed you to publish a number
21 of scientific articles that have been accepted for publication
22 by scientific publications who print such matter?

23 A. Yes, I have.

24 Q. And, Doctor, directing your attention to the articles

1 that you have published, have you published something like
2 seventy-five articles that have been published in recognized
3 journals and publications from '73 up to the present time?

4 A. Yes, about that number.

5 Q. And do you have presently--are you presently preparing
6 articles for publication?

7 A. Yes, I am.

8 Q. Now, Doctor, I don't intend to get into each of
9 those seventy-five articles, but I would like to mention and
10 have you mention along the way the subjects of these articles
11 that have been published. The first one that I have on the
12 list that you furnished to me is an article that was published
13 in the Environmental Science Technological Journal.

14 A. Environmental and that is a publication by the
15 American Chemical Society.

16 Q. By the American Chemical Society?

17 A. Yes.

18 Q. When was that article published?

19 A. In 1973.

20 Q. And what was the subject matter?

21 A. It was a description of experiments which I conducted
22 for my doctoral dissertation on the effects of the organ on
23 the insecticide Dieldrin.

24 Q. Dieldrin is something that is sold as an insecticide to

1 kill insects?

2 A. It was.

3 Q. It was?

4 A. It is no longer sold in this country.

5 Q. Why not?

6 A. Its registration has been removed by the Environmental
7 Protection Agency because of its toxicity.

8 Q. And did your research assist in coming to that
9 conclusion?

10 A. I don't know. I am not acquainted with the proceedings
11 which lead to the suspension of Dieldrin. I was looking at
12 fairly sensitive effects on eco systems of this pesticide, yes.

13 Q. And by eco systems, you mean streams and waters and
14 air that surround us all?

15 A. Streams and life in those streams.

16 Q. And did you find indeed that this chemical had an adverse
17 effect upon the fish in our streams?

18 A. It did particularly on the liver and the kidney and
19 the nervous system.

20 Q. And is this chemical Dieldrin, is it related to or is
21 a member of that chemical family that we have called halogenated
22 hydrocarbons?

23 A. It is one of the polycyclic halogen.

24 Q. Polycyclic hydrocarbons. I mean, all of those things

1 means something. What is polycyclic?

2 A. Polycyclic means that chemically the molecule has
3 more than one closed ring of carbon atoms.

4 Q. All right. That is polycyclic. More than one closed
5 ring of carbon atoms. What about the hydrocarbons?

6 A. Halogenated means that there is a halogen, chlorine,
7 fluorine or bromine attached to those rings.

8 Q. Now, that doesn't mean a thing to me. What is a
9 halogen?

10 A. A halogen is just the scientific name for group of
11 elements. Fluorine, chlorine or bromine.

12 Q. Okay. When you say halogen, then you mean a
13 particular group of chemical elements known as fluorine, bromine
14 and something else?

15 A. Chlorine. Right. Those are the halogens.

16 Q. All right, and the other word was carbon?

17 A. Hydrocarbons.

18 Q. What is hydrocarbons?

19 A. Hydrocarbon means that the rings are made up of
20 carbon and hydrogen.

21 Q. I thought you said it dealt with chlorine and bromine
22 and---

23 A. If it would be useful, I could draw a picture but it
24 means that the halogenated hydrocarbon is a chemical which has

1 as its backbone, if you will, carbons with hydrogens attached
2 but also in some places instead of a hydrogen, there is a
3 chlorine, a fluorine or a bromine attached. To call it polycyclic
4 means that this skeleton has been formed into a ring with those
5 halogens, fluorine, chlorine or bromine and hydrogen is still
6 attached.

7 Q. All right. And is phenols, orthochlorophenol, does
8 that fall in the classification that you have just described?

9 A. No because it is only one ring so it would not be a
10 polycyclic halogenated hydrocarbon but it would be a halogenated
11 hydrocarbon.

12 Q. All right. What about 2,3,7,8 TCDD? Is that?

13 A. That is a polycyclic halogenated hydrocarbon.

14 Q. All right. And that is in the family that you were
15 studying as far back as 1973, then, is that correct?

16 A. That is correct.

17 Q. So, when you say or use the words polycyclic halogenated
18 hydrocarbon, you are talking about a group of chemicals which
19 make up a family, one of which is the subject of this lawsuit,
20 2,3,7,8 TCDD, is that correct?

21 A. That is correct.

22 Q. All right. Now, is there a relationship between these
23 various families or why are they--why is there a group or what
24 is the significance of a group of chemicals known as polycyclic

1 halogenated hydrocarbons? What is the significance of that?

2 A. The reason why they are grouped is purely chemical.
3 That is, their chemical structure, the way they are put together,
4 the atoms that go into them can be classified in a certain way
5 but to the toxicologist there are additional reasons why these
6 chemicals can be considered related or parts of a family. They
7 have many effects in common.

8 Q. Doctor, do these particular chemical structures that
9 you have described in this polycyclic halogenated hydrocarbons,
10 do they occur naturally in nature or does man make these chemicals?

11 A. Most of these chemicals are man-made.

12 Q. And have these chemicals found various uses--strike
13 that. Why has man been called upon to make these particular
14 chemicals?

15 A. Well, ever since the identification and synthesis of
16 benzene which is one of the simplest of the cyclic compounds,
17 a great deal of the products that we now use including very
18 important drugs and therapeutic agents have exploited the
19 properties of this type of molecule but they are in the main
20 relatively recent synthesized, that is, artificial compounds.

21 Q. Now, directing your attention just for a moment,
22 and I don't want to digress to it yet because we will get into
23 it in detail later, but 2,3,7,8 TCDD when it follows in this
24 group of chemicals that you have just described is not a

1 chemical that the chemical industry has made for a specific
2 purpose, has it, ma'am? Is it?

3 A. No. As far as I know, it has always been an
4 unintentional contaminant except, of course, for research
5 purposes relatively recent.

6 Q. And there are circumstances under which--and we will
7 get to that later on--under which this 2,3,7,8 is manufactured?

8 A. Cogenerated, yes.

9 Q. All right. Now, Dr. Silbergeld, in addition to the very
10 first publication you had that dealt with this particular kind
11 of chemical and you have discovered what occurred in fish,
12 did you during the rest of your career, during the on-going
13 years from '73 on, did you do other work that dealt with this
14 kind of chemical and the effects of various chemicals upon
15 humans and animals and things of that sort?

16 A. Yes.

17 Q. Now, have you published articles known as Lead Induced
18 Behavior Disorder in Life Science Texts?

19 A. Yes.

20 Q. Blood glucose is an environmental stress in fish and
21 environmental contamination toxicology publication?

22 A. Yes.

23 Q. And another work on lead entitled Evidence for a
24 prejunctional effect on neuromuscular function?

1 A. Yes.

2 Q. What on earth does that mean? Prejunctional effect
3 on neuromuscular function?

4 A. Well, it had been known for a long time that
5 occupational and environmental exposure to lead produced
6 peripheral neuropathy.

7 Q. By peripheral, you mean the nerves that serve our
8 legs and arms and not the nerves that serve our brain?

9 A. Right. That part of the nervous system outside the
10 brain and the spinal cord.

11 Q. Aside the brain and the beside the spinal cord is
12 not the peripheral nerves but the nerves that comes off the
13 spinal cord to the legs, the fingers, the arms are peripheral
14 nerves?

15 A. Yes.

16 Q. Could you go back, then, to tell me what you were
17 doing with that?

18 A. It had been known that lead caused this kind of effect
19 and indeed that this effect was characterized by something
20 known as wrist drop in effected people which looked like this
21 (indicating) but no one knew whether that effect was an effect
22 of lead on the muscle or on the nerve that served that muscle
23 and this research which I conducted was one of the first fairly
24 clear demonstrations that the effects of lead were on the nerve

1 before they were on the muscle. Yes. So it was a neuropathy
2 and not a myoneuropathy that it had been referred to.

3 Q. And this was published in a magazine known as
4 Nature. Where does Nature stand on the level of recognized
5 scientific publications in the scientific community?

6 A. Probably along with the Journal of Science. It is the
7 most prestigious and most difficult journal to get a paper
8 published in.

9 Q. In order to get that paper published in that particular
10 publication, was your article reviewed by other scientists to
11 determine its authenticity and originality and correctness?

12 A. All my papers have been so reviewed.

13 Q. When you say that all your papers, you mean all
14 seventy-five that have been published in these various publications?

15 A. That is right. I have published only in what are called
16 peer review journals.

17 Q. And again, by that we mean your equals, so to speak,
18 on a professional scale?

19 A. Yes.

20 Q. Now, Dr. Silbergeld, have you also done--well, you
21 have done a number of works, a number of original research on
22 the effects of lead, have you not?

23 A. That is right.

24 Q. On this first page at least half a dozen including

1 pharmacological and neurochemical lead induced hyperactivity?

2 A. Yes.

3 Q. And by hyperactivity, do you mean that the child that
4 is called hyperactive?

5 A. Well, that research lead into that area but this
6 particular research which was published in 1975 was the first
7 observation which I made that lead exposure at relatively
8 low levels of experimental animals could provide pathologic
9 increases in activity and the paper then tried to explore the
10 biochemical effects of lead which were responsible for that
11 action, that behavioral abnormality. On the basis of that,
12 we, of course, became very interested in whether similar things
13 might be happening in kids, human children, exposed to lead.

14 Q. And has it been confirmed that these things that
15 you discovered to have occurred in animals are indeed occurring
16 in children?

17 A. Yes. The standard textbooks on childhood psychiatric
18 disorders now all refer to lead as one of the causes of hyper-
19 activity in children and I believe all of them make reference to
20 my work.

21 Q. Now, Doctor, have you published original articles
22 in a journal called or publication called Health Effects of
23 Occupational Lead and Arsenic Exposure?

24 A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Q. In 1976?

A. Yes, I did.

Q. Under what sponsorship was the publication of this particular magazine or article?

A. This was a monograph which was sponsored and published by the National Institute of Occupational Safety and Health.

Q. And who was the editor of that monograph?

A. Dr. Bertrum Carnow.

Q. The same Dr. Bertrum Carnow who has examined and treated the plaintiffs in this case?

A. Yes.

Q. And when was this publication?

A. 1976.

Q. And was it under the auspices of more than just one agency that this publication occurred?

A. No. Just NIOSH. All the other parts of the alphabet soup refer to the various agencies that NIOSH is part of. Center for Disease Control, the Public Health Service and the U. S. Department of Health and Education and Welfare as it was then known.

Q. Was--strike that. What is a monograph?

A. A monograph is a book devoted to a specialized topic. In this case, the health effects of two metals.

Q. And what was Dr. Carnow's role in that book publication?

1 A. I believe he had a great deal to do with convening
2 the meeting at which the papers were presented for selecting
3 the experts, the speakers, for making sure that the papers were
4 gathered and with the assistance of an editorial board, in
5 reviewing them critically and preparing them for publication.

6 Q. All right. And, Doctor, have you also done work dealing
7 with poisoning by lead in children that discusses the alterations
8 in urinary metabolites?

9 A. Yes, I have.

10 Q. You published that when, Doctor, and who published it?

11 A. Science Magazine published it in 1976.

12 Q. Where does Science stand in the scale of recognized
13 prestigious scientific publications?

14 A. As I said, I think along with Nature as the most
15 prestigious and certainly the most difficult to get a paper
16 published in.

17 Q. And just what work did you find or did you do with
18 relation to determining this form of intoxication as indicated
19 by these urinary metabolites?

20 A. I had observed in working with mice that low levels of
21 lead could cause significant alterations in brain chemistry.
22 Now, these can be measured in mice, of course, by looking at the
23 brain directly, but I knew that wasn't something that could
24 ever be done in clinical medicine, at least not at that time.

1 Q. By clinical medicine, you mean something could
2 not be done with a live child?

3 A. That is right. With a human being. So, I developed
4 a method for looking at these same biochemical changes in the
5 urine of these animals, made a careful correlation between those
6 changes appearing in the urine and the changes in the brain
7 which is where they originated and then looked at urine samples
8 collected from children who were exposed to lead. I developed
9 the assay for doing these measurements and in corroboration
10 with Dr. Julian Chisolm who is a medical doctor and a pediatrician
11 conducted these experiments on his population of children
12 treated at the Johns Hopkins lead poisoning clinic.

13 Q. Did you find as a result of your studies with the
14 urine of the children that it confirmed that what you found
15 as having occurred in the urine of mice?

16 A. Yes, we did.

17 Q. And was this study of the mice helpful and rather
18 essential in discovering what would happen to the children
19 exposed to lead?

20 A. Yes, it was and indeed that is really the purpose
21 of toxicology as an experimental science. It is to provide
22 models of human diseases and to give insights which can later
23 be tested in human beings. It is not an end in itself because
24 of the effects of lead in mice.

1 Q. All right. You are not looking to find out whether or
2 not what makes mice healthy or sick except insofar as it might
3 help you or other scientists or doctors discover what makes humans
4 healthy and sick, is that a fair statement?

5 A. That is the fundamental basis of biological research,
6 yes.

7 Q. Doctor, have you also published original work in this
8 area of hyperactivity in the journal called Biology of
9 Cholinergic Function?

10 A. Yes.

11 Q. Did I say that right?

12 A. That is the Biology of Cholinergic Function.

13 Q. Say it again.

14 A. Cholinergic Function. It refers to a certain type of
15 nerve pathways in the brain and in the peripheral. Those terms
16 that use that to communicate with other nerves. That is why
17 they are called the cholinergic. Acetylcholine.

18 Q. Acetylcholine?

19 A. Right.

20 Q. That doesn't mean a thing to me. What is acetylcholine,
21 Doctor?

22 A. Acetylcholine is an amino acid. That is a fairly
23 small molecule which is synthesized, made in nerves in the body
24 of animals and humans, worms, all kinds of life forms and is

1 among other things the chemical which controls all our muscle
2 movements. It is a chemical that is affected by nerve gases
3 and a variety of insecticides. It is a very important chemical
4 in the body.

5 Q. All right. And did you find in your research that this
6 chemical in the body can be affected by other chemicals that
7 may be ingested or lead or metals that are taken into the body?

8 A. What we found was that chemicals which effect this
9 system specifically may well produce syndromes of hyperactivity in
10 animals and probably in human beings, too.

11 Q. And did you publish three or four, at least, articles
12 dealing with that conclusion and that occurrence in Life Sciences,
13 in Biochemical and Pharmacological?

14 A. Yes.

15 Q. And, Doctor, have you also done work in differential
16 effects of three dopamine agonists: Apomorphine, bromocriptine
17 and lergotrile?

18 A. Yes.

19 Q. And I know I murdered those words. What is all
20 that? What does that mean?

21 A. Well, this was work very closely connected with work
22 being done with M.D.s when I was at NIH on the set of new drugs
23 being used to treat patients with Parkinson's disease. Lergotrile
24 and Bromocriptine are two of those drugs. They are known as

1 ergotdrugs and this paper was an examination of differences in
2 how these particular drugs act on parts of the nervous system
3 which are known to be damaged in Parkinson's disease. It was
4 an attempt to select the drug which might be the most useful
5 and the least dangerous in treating Parkinson's disease.

6 Q. And, Doctor, did you also--that was published in what
7 journal?

8 A. Journal of Neurochemistry.

9 Q. And is that the journal that neurochemists accept as
10 their official publication and magazine?

11 A. It is.

12 Q. Doctor, did you also do something that I read as
13 Na⁺ regulates release of Ca⁺⁺ sequestered in synaptosomal
14 mitochondria?

15 A. Yes, I did.

16 Q. What does that mean?

17 A. Well, that is actually sodium. That is Na⁺ regulates
18 the release of calcium, Ca⁺⁺. Bound up within the mitochondria,
19 within the ends of nerves.

20 Q. That is what mitochondria is called?

21 A. That is synaptosomal. Mitochondria, are small
22 compartments, if you will, within most cells and in nerves
23 they are compartments which contains an awful lot of calcium and
24 what my experiments showed was that the movement of calcium in

1 and out of these mitochondria could be controlled by sodium.

2 Q. When you are doing all of these publications, is it
3 correct and a fair statement that you have had to do research
4 in the laboratory over a period of time to arrive at these
5 conclusions that you subsequently published?

6 A. Yes.

7 Q. And it is work that you are doing yourself and/or in
8 conjunction with other scientists?

9 A. That is right.

10 Q. Now, Doctor, have you also published in a publication
11 called Animal Models of Psychiatry and Neurology works that
12 you did with animal models of hyperactivity?

13 A. Yes.

14 Q. And have you done work in presynaptic function and
15 hepatic drug metabolism in the hypothermic actions of some novel
16 dopaminergic agonists?

17 A. Yes. This was another paper on those ergot drugs which
18 are being proposed for treating Parkinson's disease and another
19 way of trying to look at how these drugs act.

20 Q. And, Doctor, have you done original research and
21 thereafter published on neuropharmacology dealing with hyperkinesis?

22 A. Yes.

23 Q. Hyperkinesis, is that another word for hyperactivity?

24 A. It is. Some people use that word interchangeably with

1 hyperactivity and I think the editor of this particular volume
2 preferred that term. It does describe the same behavioral
3 disorder in children.

4 Q. This continued your research or some years now at this
5 point dealing with what causes children to become hyperactive?

6 A. That is right.

7 Q. Did you also publish works in the field of subcellular
8 mechanisms of lead neurotoxicity, review of neurotoxicity of
9 lead in experimental studies. Synaptosomal calcium metabolism
10 studies by electron microprobe analysis and advances in
11 neuropharmacology of Parkinsonism. All of these works were
12 also published by established and authoritative publications?

13 A. Yes, they were.

14 Q. And have you worked in uptake and release of dopamine
15 in substantia nigra: effects of GABA and substance P.
16 Neuroscience Letter. Have you worked in rat rotation monitoring
17 for pharmacology research? Have you worked in quantitative
18 aspects of normal locomotion in rats? Have you worked in
19 abnormal locomotion in rats after bilateral intrastriatal injection
20 of kainic acid?

21 A. Yes.

22 Q. I know I didn't pronounce any of those right but,
23 Doctor, these works with rats, why are you working with rats
24 in these areas?

1 A. The whole purpose of working with rats is to gain
2 a more complete understanding of what is happening in people.

3 Q. And, pardon the expression, do rats and human beings
4 have certain characteristics in common? Not all humans, but,
5 Judge, I think I need a recess at this time.

6 THE COURT: Do you want that question answered?

7 Q. Would you answer my question seriously rather than in
8 the way I put it?

9 A. Fortunately, yes, because if we didn't know that in
10 much more important areas rats are good models or predictors
11 or stand-ins for human beings, we would have no choice but to
12 experiment on human beings. For example, the publication on the
13 advances in the neuropharmacology of Parkinsonism is really a
14 description with two clinical collections of the very great
15 contributions by experimental studies and have made to
16 producing drugs which are very, very effective in treating human
17 being with this dread disease. Now, if we couldn't rely on the
18 similarity between human brain and the rat brain, we would have
19 no choice but to throw drugs of unknown toxicity and effectiveness
20 at humans with very serious results. The paper below on
21 synaptosomal uptake and release of dopamine in substantia nigra
22 effects of GABA and substance P which sounds like a lot of
23 gobble-di-gook, is really looking at components of that
24 part of the brain which is affected in Parkinsonism in people

1 and which, of course, in rats and only in rats we can take out
2 and study in great detail and determine what are the chemical
3 and anatomic relationships in that system which when they are
4 disturbed lead to the very serious life threatening symptoms
5 of Parkinsonism.

6 MR. CARR: Now, Your Honor, we can have that recess.

7 THE COURT: Fine. Court will be in recess.

8 COURT ADJOURNED FOR THE DAY:
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

1 STATE OF ILLINOIS
2 TWENTIETH JUDICIAL CIRCUIT
3 COUNTY OF ST. CLAIR
4

SS

5 I, Kimberly Ganz, one of the Official Court Reporters, do
6 hereby certify that the foregoing transcript is a true and
7 correct transcript of the proceedings had in the above-entitled
8 cause.

9 Dated this 18 day of April, 1984.
10
11
12

13 
14 _____
15 KIMBERLY GANZ
16
17
18
19
20
21
22
23
24

1 STATE OF ILLINOIS

2 TWENTIETH JUDICIAL CIRCUIT

SS

3 COUNTY OF ST. CLAIR

4
5 I, Richard P. Goldenhersh, one of the Judges in and for
6 the Twentieth Judicial Circuit, do hereby certify that the
7 foregoing transcript is a true and correct transcript of
8 the proceedings had in the above-entitled cause.

9 Dated this 18 day of April, 1984.

10
11
12 

13
14 HON. RICHARD P. GOLDENHERSH